

NORTH CAROLINA DEPARTMENT OF INSURANCE  
RALEIGH, NORTH CAROLINA

STATE OF NORTH CAROLINA  
COUNTY OF WAKE

BEFORE THE  
COMMISSIONER OF  
INSURANCE

IN THE MATTER OF:

THE FILING )  
DATED JANUARY 3, 2024 BY )  
NORTH CAROLINA RATE BUREAU )  
FOR THE REVISION OF )  
HOMEOWNERS INSURANCE RATES) )

**COPY**

Docket No. 2157

BEFORE: AMY FUNDERBURK, HEARING OFFICER

TRANSCRIPT

OF

HEARING

VOLUME V - A. M. SESSION

Raleigh, North Carolina

October 11, 2024

9:00 a.m.

A P P E A R A N C E S:

On behalf of the North Carolina Rate Bureau:

MARVIN M. (MICKEY) SPIVEY, JR., ESQ.  
 BRIAN O. BEVERLY, ESQ.  
 LISA LEEAPHORN, ESQ.  
 Young, Moore and Henderson, P. A.  
 3101 Glenwood Avenue  
 Raleigh, North Carolina 27622  
 (919)782-6860  
 Mickey.Spivey@youngmoorelaw.com  
 Brian.Beverly@youngmoorelaw.com  
 Lisa.Leeaphorn@youngmoorelaw.com

On behalf of the North Carolina Department of Insurance:

TERENCE D. FRIEDMAN, ESQ.  
 SHANNON WHARRY, ESQ.  
 North Carolina Department of Insurance  
 3200 Beechleaf Court  
 Raleigh, North Carolina 27604  
 (919) 807-6091  
 Terence.Friedman@ncdoi.gov  
 Shannon.Wharry@ncdoi.gov

\* \* \* \* \*

Hearing in the matter of the filing dated January 3, 2024 by the North Carolina Rate Bureau for revised homeowners' insurance rates, at the North Carolina Department of Insurance, 3200 Beechleaf Court, Raleigh, North Carolina, on the 11th of October, 2024, at 9:00 a.m., before Renee M. Habrack, RPR, and Notary Public.

INDEX OF EXAMINATIONS

THE WITNESS: MINCHONG MAO	EXAMINATION
Continued cross-examination.....	730
By Mr. Friedman	
Redirect examination.....	802
By Mr. Spivey	
Recross examination.....	830
By Mr. Friedman	
Further redirect examination.....	860
By Mr. Spivey	
Further recross examination.....	861
By Mr. Friedman	
Further redirect examination.....	862
By Mr. Spivey	
Further recross examination.....	863
By Mr. Friedman	

\* \* \* \* \*

INDEX OF EXHIBITS

MARKED	IDENTIFIED
DOI -11 Texas Windstorm Insurance Association, March 2022.....	738
DOI -14 Homeowners Return on Equity Outlook, November 2023....	753
DOI -26 Uses of Catastrophe Model Output, July 2018.....	766
DOI -27 Aon reports single-digital hikes at property, cat reinsurance renewals.....	773

## P R O C E E D I N G S,

\*\*\*\*\*

MS. FUNDERBURK: Good morning, everyone.

AUDIENCE: Good morning, Your Honor.

MS. FUNDERBURK: Today is Friday, October 11th. Before we get started, there were a couple things I wanted mention to counsel.

The first is there will be a few issues that I expect I'm going to ask you to brief, and I will be honest, I haven't fully fleshed out exactly what those -- the question is going to look like. But topically there's been some discussion about -- and disagreement about controlling law, the 2014 order versus the Court of Appeals' decision, which I think was actually handed down in 2015 on the 2014 case. I expect I will ask for some briefing on the parties' allegations as to controlling law. I haven't heard anybody mention 1999 Supreme Court yet. I have a feeling it may come up. But just be aware the controlling law issue will be something that I anticipate asking you to brief.

MR. FRIEDMAN: Can I ask, ma'am, one clarification? By 1999 Supreme Court, is that -- that's the decision by the Supreme Court regarding 1996 auto you're referring to? I guess that's

1 technically, when 1996 auto made it up --

2 MS. FUNDERBURK: Okay.

3 MR. FRIEDMAN: -- just so I can get my  
4 own frame of reference.

5 MS. FUNDERBURK: I can get the citation  
6 for you. But, again, it sounds like the biggest  
7 issue is the 2014 order of the Commissioner and it's  
8 precedential value and what exactly was addressed in  
9 the Court of Appeals' opinion and what may be  
10 controlling, and it sounds like there's some dispute  
11 amongst the parties as to what exactly is controlling  
12 law. I will flesh that question out as we get  
13 further in the hearing, but I know that you all have  
14 had a lot going on, and I wanted give you a heads up  
15 as soon as I could. It may be that the Supreme Court  
16 opinion doesn't come into play, but certainly the  
17 2014 order and the subsequent Court of Appeals'  
18 opinion are at issue. So, I wanted put that on your  
19 radar.

20 MR. BEVERLY: If it please the Court,  
21 Your Honor, do you have an idea now of the timing  
22 that you will request that to be tendered to you?

23 MS. FUNDERBURK: I don't. I had a  
24 little bit of an opportunity last night to start  
25 going over some of my notes and making some notes for

1 myself on what I thought I would need. And that was  
2 one of the issues that I had identified.

3 Also -- and I will apologize. I had my  
4 notes up and it looks like I had a little bit of a  
5 computer crash, so it didn't save exactly what I had  
6 retyped. There were some changes in the deviation  
7 rate, I believe, that were identified over the years  
8 in 2014. There was a deviation of 5 percent, I  
9 believe, identified, and then in subsequent filings  
10 there was a zero deviation. And that is something  
11 I'm going to need to get some clarity on.

12 MR. SPIVEY: Essentially the history  
13 about that?

14 MS. FUNDERBURK: What's the history,  
15 maybe over the last ten years, what's the history of  
16 the deviation that has been requested. That would be  
17 helpful for me to know. And I'm clearing my throat,  
18 but so far I have avoided whatever is making the rest  
19 of you sneeze, so I'm going to hold on to that.

20 I believe it was Mr. Anderson that had  
21 also mentioned consent to rate, and I believe he  
22 mentioned -- and I'd have to go back and look at the  
23 transcript, the current -- currently 54 percent, I  
24 believe, and, again, I don't have the notes in front  
25 of me now, but I believe he said 54 percent of

1 premiums in North Carolina are on a consent to rate  
2 basis. I believe in 2014 -- and you'll have to  
3 forgive me on the percentages because it would was  
4 one of the first things I read when I was preparing  
5 the transcripts from the 2014 hearing. I believe at  
6 that time there was a statement that we were in the  
7 30 percent range for consent to rate. I would like  
8 to know what the progression has been over about the  
9 last ten years on consent to rate.

10 I would also like to know what the  
11 Bureau's position is on potential changes in consent  
12 to rate should the manual rate be increased. I  
13 believe that was something Mr. Anderson addressed. I  
14 am open to how that information is presented, if  
15 that's presented via a testimony of your witnesses,  
16 Mr. Anderson or otherwise, or if that's something  
17 that you would choose to brief and provide in writing  
18 to the Court. I'm flexible on how that may be  
19 submitted.

20 But I would like more information on how  
21 that progression has gone over the last ten years,  
22 and I would like the Bureaus's position on what  
23 impact -- what impact there may be on consent to rate  
24 should the manual rate increase. I believe  
25 Mr. Anderson mentioned there may be some data on



1 that. And, again, I apologize, I don't have a  
2 transcript in front of me, but there may be some data  
3 that could show what that progression and change has  
4 been, and that would be helpful for me to see and  
5 review.

6 Are there any housekeeping matters  
7 counsel needs to address before we proceed?

8 MR. SPIVEY: None that I am aware of.

9 MR. FRIEDMAN: I was just going to say,  
10 I think we are making progress toward at least a  
11 stipulation by both parties that at least the copies  
12 of the pleadings that are in the notebooks, plus the  
13 data responses and discovery responses, are what we  
14 say they are. But I know that we produced a lot  
15 of -- we've put into the record a lot of data  
16 responses and discovery responses, but I do know that  
17 Mr. Beverly told me they are working on  
18 double-checking that those are the accurate copies.

19 MS. FUNDERBURK: Well, and as to data  
20 responses, Mr. Spivey, do you know where the consent  
21 to rate data came from? Where -- how was that data  
22 produced?

23 MR. SPIVEY: Your Honor, the consent to  
24 rate data is collected by the Department. They issue  
25 a data call to all companies. They collect the data.

1 They issue a report. It's public. They put it on  
2 the website, I think. So, that's where we get that  
3 data.

4 MR. FRIEDMAN: I guess I would -- where  
5 is -- do you re-reflect it in the filing or just  
6 state it? I mean, do you actually include a  
7 printout, for instance, of that data?

8 MR. SPIVEY: I don't think that we have  
9 any exhibits in the rate filing from that that I am  
10 recalling right at the moment. It's incorporated.  
11 It's within some of the numbers, like the net  
12 deviation number, things like that, but the actual  
13 data call and what's happening with consent to rate  
14 is -- that's not in the rate filing, to my knowledge.

15 MR. FRIEDMAN: Okay. Thank you.

16 MS. FUNDERBURK: And as full of  
17 information as you can provide as to how you  
18 calculated the -- or as to how Mr. Anderson may have  
19 calculated the current figures on consent to rate,  
20 and how you may calculate the trend over the years  
21 would be helpful to me. I'd like to see something  
22 that another actuary could, perhaps, look at it come  
23 to the same conclusion, if that makes sense.

24 Anything else we need to address before  
25 we proceed?

1 MR. FRIEDMAN: No, ma'am.

2 MS. FUNDERBURK: Okay. All right.

3 Ms. Mao, thank you for returning with us  
4 and coming back to the stand. I will, again, remind  
5 you that you do continue to be under oath.

6 Mr. Friedman is going to continue his  
7 cross-examination. If you need a break, we are only  
8 planning to go until noon today, that's still three  
9 hours of testifying time, which can seem a lot longer  
10 than three hours when you're the one on the stand.  
11 So, if you need a break, just let us know. And I  
12 will probably check in in about an hour and a half to  
13 see if you need a break.

14 THE WITNESS: Okay. Thank you.

15 MS. FUNDERBURK: Thank you.

16 Mr. Friedman, please proceed.

17 MR. FRIEDMAN: Yes, ma'am.

18 CONTINUED CROSS-EXAMINATION

19 BY MR. FRIEDMAN:

20 Q. Ms. Mao, has you seen some of the press  
21 conferences the Bureau officials have been giving in  
22 the past couple days?

23 A. No, I haven't seen the press conference.

24 Q. Have you seen them meeting with the press?

25 A. I see them in the meeting, yes, but I didn't

1 watch the news in the evening.

2 Q. Have you read any written articles about the  
3 hearings since when it started on Monday?

4 A. I remember reading one article, yes.

5 Q. Okay.

6 A. Yeah.

7 Q. And so, you're aware this proceeding is  
8 receiving, at least, some media attention?

9 A. Oh, yes, I am aware.

10 Q. Okay. And are you aware that the -- so far  
11 the complete transcripts of the meeting of this hearing  
12 are being placed on the internet?

13 A. No, I'm not aware, but thank you for letting  
14 me know.

15 Q. Yes. And, of course, we go back and edit  
16 those.

17 A. Okay.

18 Q. So, but I am going to refer you to -- I've  
19 placed with you a copy of yesterday's transcript from  
20 the afternoon.

21 A. Okay.

22 MR. FRIEDMAN: Can everybody see that?

23 Q. So, ma'am, one thing counsel does, and  
24 sometimes they involve their witnesses, when they  
25 are -- later we get a copy of this, and we have a

1 period within which to tell the court reporter whether  
2 what she got down was actually what they said or if  
3 there are typographical errors, et cetera, but we do  
4 have a recording also.

5 A. Okay.

6 Q. So, if you could you turn to page 710 of that  
7 testimony?

8 A. Is there a page number on the --

9 Q. I think it's on the upper right.

10 A. Okay. 710?

11 Q. Yes, ma'am.

12 A. Okay.

13 Q. So, I'm going to read some things and ask you  
14 if that's -- if that appears to be accurate based on  
15 what you said yesterday?

16 A. Which one?

17 Q. Okay. So, starting with -- on 710 with Q --  
18 the first question, and the first answer. I am going  
19 to read that into the -- I'm going to read them  
20 paragraph by paragraph and ask you whether that's --  
21 seems to be accurate to you, not holding you to it, but  
22 of what you said yesterday. So, I will read them in.

23 "Do you know if actuaries calculate the  
24 return on equity in part by considering the net income  
25 of the shareholders' equity?"

1 "Answer: I think I already told you. There  
2 are nine different ways to calculate return on capital  
3 and with specific context. Now that's not my major  
4 responsibility. It's irrelevant to this filing."

5 Are those accurate so far in your memory?  
6 I'm not asking whether your statement was accurate.  
7 I'm asking you if that is what you said yesterday.

8 A. That is not what I mean. It's probably what  
9 I said.

10 Q. It is probably what you said?

11 A. But it's not what I mean.

12 Q. I appreciate that. Now, the next two -- next  
13 question, "Does one of those nine ways include  
14 consideration of the shareholders' equity?"

15 "Answer: So I believe so. Yeah. One way  
16 would be."

17 Does that accurately reflect what you  
18 remember saying?

19 A. I don't remember. I don't remember exactly  
20 what I say, but if you would allow me to clarify this  
21 question, I would like to.

22 Q. That's not the question I'm asking you.  
23 That's for the other side's attorneys when they get to  
24 redirect you.

25 Next question, if you could turn to page 711.

1 And I'm asking about the first question, and the  
2 answer.

3 "Question" -- at the top of the page --  
4 "Okay. Dr. Zanjani also -- did you hear him testify or  
5 have you reviewed his testimony that when he uses the  
6 term cost of capital, he's using it not in the  
7 actuarial sense, but as an economist?"

8 "Answer: If he testified, but I don't --  
9 yeah. I'm aware you asked those questions, but my  
10 responsibility in this filing has nothing to do with  
11 the cost of capital."

12 Does that appear to be an accurate written  
13 statement of what you said yesterday?

14 A. The last sentence, yes.

15 Q. Is the question?

16 MR. SPIVEY: Are you asking her if --

17 MR. FRIEDMAN: I mean --

18 MR. SPIVEY: -- the question is what she  
19 said.

20 MR. FRIEDMAN: -- if the question and  
21 answer appear to be what she recalls maybe. But I  
22 can limit it to the answer. That makes good sense.

23 Q. Does the answer appear to be what you  
24 remember saying?

25 A. Yeah, that's fine.

1 Q. Okay. Then going a couple down, "And do  
2 actuaries treat the term return on equity as roughly  
3 synonymous to the cost of capital?"

4 "Answer: I see filings. Sometimes it does  
5 interchangeably used."

6 Is that what you recall your answer being?

7 A. Yes.

8 Q. Turning to page -- well, I'm going to still  
9 stay on 711, read into the record the next question at  
10 the bottom.

11 "All right, thank you. And when they are  
12 considering actuaries, not economists, considering --  
13 or not economists who have an actuarial certificate,  
14 but are ^ "for" in the transcript testifying as  
15 economists -- the -- do affect -- take into account --  
16 do actuaries in computing the cost of capital take into  
17 effect -- take into account the expected return that  
18 the market demands on the capital and surplus  
19 investments."

20 Turning to the next page top answer. "I  
21 don't -- I don't know what you're getting into because  
22 that's not my job for this" --

23 A. For this filing.

24 Q. Yes. You don't -- okay. Going down midway,  
25 starting with the question that about -- it's on line



1 11, if you look off to the left.

2 "Question: Okay. And when they calculate  
3 cost of capital, do they not take into account what the  
4 market expects of the return on investment of capital  
5 and surplus?"

6 "Answer: So are you, again, you are talking  
7 about those accounting firm -- accounting terms and  
8 investment terms, and we already have hours of  
9 discussions on that topic, I believe, in prior days. I  
10 don't know what this is."

11 And then -- so, does that appear to be  
12 your -- an accurate reflection of your answer?

13 A. There are some typos like "accounting terms,"  
14 and I don't think that that -- I don't know what  
15 this -- I don't remember I say that, but I was just  
16 trying to tell you I am hiring -- I am hired to do  
17 other piece of work. Cost of capital is not my  
18 responsibility. I didn't do the work. I didn't review  
19 the work. I have general concept as an actuary, but  
20 it's not my expertise.

21 Q. I appreciate that. Go on to page 713. Top  
22 question.

23 "Yes, ma'am. Do they not calculate cost of  
24 capital based on what the market demands, in part,  
25 from -- or from, in part, investments of capital and

1 surplus?"

2 "Answer: As I recall, the cost of capital  
3 does reflect reasonable expectation for the return."

4 "Question: On the investment of capital and  
5 surplus?"

6 "Answer: So I think -- I have to think about  
7 that, these questions, because these are not my typical  
8 job responsibilities."

9 A. Yeah, that's correct.

10 Q. Okay. And those appear to be your accurate  
11 answers?

12 A. Yes.

13 Q. Thank you. Now, first I am going to turn to  
14 hearing Book 3. First of all, do you want to take a  
15 second to look through this presentation?

16 A. Which page?

17 MR. BEVERLY: What presentation?

18 Q. I am trying to get you to see if you  
19 recognize the whole document.

20 MR. SPIVEY: What book?

21 MS. FUNDERBURK: Which document are  
22 you --

23 THE WITNESS: Which document?

24 MR. FRIEDMAN: No, Exhibit 11.

25 MR. SPIVEY: I'm sorry, I didn't hear

1 you identify Exhibit 11.

2 MR. FRIEDMAN: Okay. Exhibit 11 in

3 Book 3. I'm sorry.

4 (WHEREUPON, Exhibit DOI-11 was marked  
5 for identification.)

6 Q. Do you recognize this document, ma'am?

7 A. Yes. I contributed to this document because  
8 I served this account.

9 Q. Were you present for the presentation of this  
10 document by Aon if it was pres- -- was this document  
11 presented visually to -- by Aon to the Texas Windstorm  
12 Reinsurance Association?

13 A. Yes. This presentation was -- yeah, this was  
14 presented to the Texas Windstorm Association in their  
15 board meeting.

16 Q. Were you present for that?

17 A. What?

18 Q. Were you present for that meeting?

19 A. I was virtually. I listened to that meeting,  
20 yes.

21 Q. Okay. Thank you.

22 Would you turn to page 31, and I'm looking at  
23 the right column, the column that says, "Reinsurance  
24 premium to written premium ratio." The first bullet  
25 point, "Effective catastrophe risk management requires

1 measuring and recouping all catastrophe risk cost  
2 components?"

3 A. Sorry, what's your question?

4 Q. I'm just reading it into the record. Now  
5 it's going to be my question.

6 A. Yeah, okay.

7 Q. When it speaks of recouping all catastrophe  
8 risk cost components, is that referring to recouping  
9 the losses and, I suppose, the reinsurance calculations  
10 on the models that you did?

11 A. So, if you move to the bottom of this page.

12 Q. Ma'am, it's a question about that first  
13 bullet point.

14 MS. FUNDERBURK: Mr. Friedman --

15 MR. FRIEDMAN: I'm sorry.

16 MS. FUNDERBURK: -- do not interrupt the  
17 witness.

18 MR. FRIEDMAN: I'm sorry.

19 A. Yeah, I'm trying to answer your question.

20 Q. Okay. Yes, ma'am.

21 A. So, expected catastrophe loss -- recoup all  
22 catastrophe risk costs, that include the component in  
23 the chart in the bottom, that is the expected loss that  
24 is determined by model and the reinsurance margin, that  
25 is a net cost of reinsurance.

1 Q. Okay. So, those are products -- and I want  
2 to apologize, very sorry for interrupting you like  
3 that.

4 So, when you are -- you're -- the losses or,  
5 excuse me, the losses and the reinsurance numbers that  
6 you generate with your use of the models then  
7 contribute to measuring and recouping all catastrophe  
8 risk costs components, so those are two of the  
9 catastrophe risk cost components?

10 A. Yeah, on this page, yes.

11 Q. Okay. And when it says "recouping," does  
12 that refer to recouping through rates?

13 A. That means -- yes, that means recouping  
14 through the rates. That means price the risk in the  
15 rate. And also I also want to clarify recouping  
16 because it's -- sometime it's can be misunderstood  
17 thinking about the word just recouping what happened in  
18 the last year, but in this context it is expected loss,  
19 which is clarified at the bottom of this page.

20 Q. Okay. And second bullet point, "Differences  
21 in reinsurance costs and capital risk by geography  
22 necessitate a risk-adjusted view of the reinsurance  
23 margin."

24 What are you referring -- what is this  
25 referring to when it refers to capital risk?

1           A.     This is what referring to is because your  
2 concentration is different and in the coastal some  
3 areas are more concentrated. And so, in theory, for  
4 that, if you are more exposed to the risk, then you  
5 need more capital to support that risk. It's talking  
6 about you have certain capital, then you need to -- you  
7 need more capital when the risk is more concentrated.  
8 And this bullet is more about how you want to allocate  
9 those -- your reinsurance cost based on your  
10 concentration.

11           Q.     Thank you.

12                     But, particularly, when you're talking about  
13 capital risk there, and just we are talking about "the  
14 capital," what are you talking about?

15           A.     So, this is -- so, this is, in theory, it is  
16 related to -- you have this risk, you need a certain  
17 amount of capital to support that risk.

18           Q.     Uh-huh. Okay. And where -- is that  
19 capital -- where is that capital located within an  
20 insurance company? Is it sitting in an account? Is it  
21 invested in, for example, a building? Or is it  
22 invested in the market?

23           A.     So, can I reference to one of the ASOP that  
24 generate -- that has an explanation of the capital?

25           Q.     Yes.

1 A. So, that's my general understanding, that  
2 ASOP 30, it's page 51 on your binder, and ASOP 30 --

3 Q. Give me one second to get there, ma'am. I  
4 will --

5 A. Sure. Okay.

6 Q. Let me -- so, what exhibit is that? Is that  
7 Exhibit 12?

8 A. Oh, sorry. Exhibit 12.

9 Q. Okay.

10 A. Page 51.

11 Q. Okay.

12 A. Section 2.3. So, yeah, also Section 2.1.  
13 "The capital means the fund intended to assure payment  
14 of obligations from insurance contract over and above  
15 those fund backing the liabilities." That is the  
16 definition of capital. That is my general  
17 understanding of capital.

18 And also 2.3 is, "The rate of return that  
19 capital could be expected to earn in alternative  
20 investment of equivalent risk, also known as  
21 opportunity cost."

22 So, since I am not an expert in cost of  
23 capital calculation, this is my general understanding  
24 of capital.

25 And in my review of many filings, I see

1 different capital numbers are used in different  
2 filings. In some cases, it is market value of the  
3 book. Some cases it is determined by PML, TVaR.  
4 So, it's -- there is various ways to calculate capital,  
5 but I don't want to get detailed, into the detail of  
6 that.

7 Q. So, looking back at what you just referred me  
8 to, page 51 of Exhibit 12, the definition you read at  
9 2.3, cost of capital.

10 A. Which one? Sorry about that. I lost --

11 Q. That's okay. We were in Exhibit 12.

12 A. Okay.

13 Q. 51.

14 A. 51. Okay.

15 Q. Page 51.

16 A. Yeah, uh-huh.

17 Q. And the -- where it defines cost of capital,  
18 you read that.

19 A. Yes.

20 Q. All right. How do you determine what is  
21 expected to earn in alternative investments of  
22 equivalent risk? What's the measuring stick for that?

23 A. That is not typically my job. My observation  
24 is they look at the industry, the average return, the  
25 benchmark of the average return. That's my



1 understanding, but that's not really my job to  
2 determine what is adequate return.

3 Q. Okay. But it is returns on investments?

4 A. It's an alternative investment of equivalent  
5 risk. It's not investment -- investment here is a more  
6 broader term. It means investors' investment. It's  
7 not an investment term in the insurance. That's my  
8 understanding.

9 Q. So, you do have an understanding of -- that's  
10 your understanding -- excuse me -- of cost of capital?

11 A. It's general understanding. It's in one of  
12 the actuarial exams. So, in order to pass that, I need  
13 to have some general understanding. But that's -- that  
14 specific job is handled by different actuaries in --  
15 within Aon, that's not my daily responsibilities.

16 Q. Okay. So, let's go back to Exhibit 11.

17 A. Okay.

18 Q. I guess, first, at page 37, you can see the  
19 little numbers on the right?

20 A. 37?

21 Q. Yes, ma'am.

22 A. Okay. Yes.

23 Q. Is everybody there?

24 A. Yes.

25 Q. You're listed here as one of the contacts

1 about this report?

2 A. Yes. I served the account, yes.

3 Q. Okay. Then on the next page, 39.

4 A. Uh-huh, yes.

5 Q. I'm going to read a couple of sentences from  
6 the second paragraph, at the end.

7 A. Uh-huh.

8 Q. "Calibration of the models using actual loss  
9 experience is based on very sparse data and material  
10 inaccuracies in this model -- in these models are  
11 possible. The loss probabilities generated by the  
12 models are not predictive of future hurricanes, other  
13 wind storms, or earthquakes or other natural or manmade  
14 disasters, but provide estimates of the magnitude of  
15 losses that may occur in the event of such  
16 catastrophes."

17 Is that accurate?

18 A. It is a general disclaimer. What it is  
19 trying to tell you is these -- using the model will not  
20 tell you in, for example, in 2025 -- in 2025 what storm  
21 you would see in North Carolina. It's just -- this is  
22 the disclaimer is intended to exclude some misuse.

23 Q. But is -- are those two sentences, in fact,  
24 true?

25 A. Which two?

1 Q. The last two sentences I just lead -- read.  
2 If you want me to read them into the record again, I  
3 can. They are the last two sentences of the second  
4 paragraph.

5 A. So, Aon recommends that the result from this  
6 model in this report not be relied upon in isolation  
7 and -- when making decisions. So, I think the emphasis  
8 is in isolation, and that may affect the underwriting  
9 appetite risk adequacy and the solvency of the company.  
10 So, we recommend our clients use these tools and use  
11 their other judgment to make decisions but not  
12 thoroughly rely on one, two numbers out of the model.  
13 That's what we intended to say.

14 Q. I understand what you say you intended to  
15 say. But are those statements true, the last two  
16 sentences of the second paragraph on page 39?

17 A. Yes, the decision should not be made in  
18 isolation, that is true.

19 Q. Are the -- are the word -- are those two  
20 statements, what they say, true?

21 A. So, you mean the total paragraph or only the  
22 last?

23 Q. The last two sentences of the second  
24 paragraph?

25 A. Sir, are there only two sentences in the

1 last --

2 Q. Of the second paragraph. I will read them  
3 into the record again.

4 A. Okay. Sure.

5 Q. "Calibration of the models using actual loss  
6 experiences based on their" --

7 A. Oh, excuse -- sorry, I didn't see that. Is  
8 that page 39?

9 Q. Yes, ma'am. It's up top, far corner.  
10 Limited to the -- this --

11 A. Are we talking --

12 Q. It says, "Limitations regarding use of  
13 catastrophe models."

14 A. Yeah, right, are you reading --

15 Q. And I'm referring to -- there are three  
16 paragraphs there. The second one --

17 A. Okay.

18 Q. -- begins with "The results in this report."

19 A. Uh-huh.

20 Q. Do you see that paragraph?

21 A. Oh, yeah. Okay.

22 Q. So, I'm reading --

23 A. Uh-huh.

24 Q. -- the last two sentences, and I will read  
25 them into the record again --

1 A. Uh-huh.

2 Q. -- so there is no uncertainty.

3 "Calibration of the models using actual loss  
4 experience is based on very sparse data" --

5 A. Uh-huh.

6 Q. -- "and material inaccuracies in these models  
7 are possible. The loss probabilities generated by the  
8 models are not predictive of future hurricanes, other  
9 wind storms, or earthquakes or other natural or manmade  
10 catastrophes but provide estimates of the magnitude of  
11 losses that may occur in the event of such  
12 catastrophes."

13 A. Yeah.

14 Q. Are those statements true?

15 A. Those are true statements.

16 Q. Okay.

17 A. However, you got to read that in the full  
18 context. Yeah, we all recognize historical data is  
19 sparse. So, therefore, we cannot rely on historical  
20 data alone. So, this is actually an advantage of using  
21 data because the -- not only the historical data, but  
22 we also have experts who interpret and to smooth out  
23 historical data to give you more credible estimates  
24 than historical data alone. So, these are the standard  
25 disclaimers in our model practice. They are true

1 statement, but should be really interpreted in the  
2 right way, not just taking the words out of the  
3 context.

4 Q. Okay. In -- when you ran the models, as far  
5 as reinsurance, hurricane losses, did you, personally,  
6 look at any actual hurricane loss experience?

7 A. Oh, yes. That is part of my job.

8 Q. Okay.

9 A. And at Aon, we have a routine exercise is we  
10 compare. We collect our clients' vintage exposure  
11 data, say for 2004, 2005, you know, there are two busy  
12 hurricane seasons. We have database of clients'  
13 exposure data at the time when that happened. And then  
14 we run those exposure using the model, different  
15 version of model we use for rate filing and for  
16 reinsurance.

17 And for these two specific model use for  
18 these rate filing, we tested -- we compared those model  
19 actual versus the modeled result, and then we look at  
20 individual company bases that we routinely show to  
21 individual company for their reinsurance purchase, and  
22 then we also aggregate the results to see, yeah,  
23 overall how model performed against those historical  
24 storms.

25 Q. So, are you speaking about -- so, what you

1 review are the historical storms within the five-year  
2 experience period?

3 A. It's back to, as I recall at 2004, since  
4 2004. We collect all those historical storms to the  
5 extent we have client exposure data. We run that year  
6 over year. Every time we got the new model, we run  
7 those new model on those old exposure again and compare  
8 with the actual claims.

9 Q. Okay. So, you don't go back any prior -- any  
10 farther prior to 2004?

11 A. To the extent it's just a data limitation.  
12 As you know, sometimes go older, companies' data is  
13 incomplete. To the extent we have the exposure, we go  
14 as far as we can. But if we don't have the data, then  
15 we are not able to do the comparison. But the most  
16 data we have is since 2004. And another reason is just  
17 policy change a lot and the old -- also the data  
18 quality is problematic with the old data.

19 Q. Okay. So, Aon has data back to 2004?

20 A. We have some client data back to 2004.  
21 That's what I see in our report when I conduct actual  
22 versus modeled result.

23 Q. Okay. Is there any other source for  
24 historical loss data you could turn to, to, for  
25 example, see the historical loss data back to 1970?

1           A.     I believe there are other source, but when  
2 you use other source, you probably know the 1970's  
3 dollar is not today's dollar. When you use those data  
4 to trend to today's dollar, you've got to make  
5 different assumptions in the data. What is a trend?  
6 What is inflation? And after you apply 50 years of  
7 inflation data, your result could be very uncertain  
8 because it all depends on what -- what assumption you  
9 make on the inflation from 1970 to today, and is that  
10 assumption on the inflation, how does that impact your  
11 loss?

12                 So, this is what I mean, the best comparison  
13 with historical is apple-to-apple comparison. Using  
14 the exact exposure data at that point and using the  
15 model to run on that exact exposure, and then compare  
16 to the actual dollar loss at that time, that is  
17 apple-to-apple comparison, that is the best comparison,  
18 and that is also the comparison model inventor used to  
19 calibrate their models.

20           Q.     Do you work on the hurricane submission of  
21 it's X-wind losses. Do you know what X-wind means?

22           A.     Yes, I know X-wind. What do you mean  
23 submission?

24           Q.     There are in the filing, separate from your  
25 hurricane models --



1 A. Uh-huh.

2 Q. -- calculations of excess wind losses. Are  
3 you familiar with that?

4 A. I had -- I am familiar with that concept.  
5 Yes, I'm familiar with X-wind, yes.

6 Q. Okay. And are you familiar with,  
7 particularly, how the Bureau calculates it?

8 A. I'm not familiar with that calculation.

9 MR. SPIVEY: May I just get a  
10 clarification? I think I've heard "X-wind" and  
11 "excess wind," which I understand to be different  
12 things. Can we make sure we know which one we're  
13 speaking of here?

14 Q. What is the difference between X-wind and  
15 excess wind?

16 A. X-wind means exclude wind.

17 Q. Okay. Excuse me. And then "excess wind"  
18 means?

19 A. I am not sure what you're talking about.

20 Q. What does "excess wind" mean? Does that mean  
21 a wind event that is of less significance than a  
22 hurricane but is still a serious event?

23 A. I am not sure what the terminology you are --  
24 excess, I don't use "excess wind." We use "excessive  
25 loss," that is a typical term in reinsurance.

1 Q. Okay.

2 A. I don't know what you are referring to  
3 "excess wind."

4 Q. Okay. Thank you.

5 All right. Could you please turn to  
6 Exhibit 14?

7 A. Yes.

8 (Exhibit DOI-14 was marked for  
9 identification.)

10 Q. First, could you turn to the  
11 second-to-last --

12 A. Yes.

13 Q. -- page, and I don't think -- page 18. 18 is  
14 there on the left. Do you see that?

15 A. Yes.

16 Q. Okay. And you're -- do you see where you're  
17 listed as a contact for this?

18 A. Yes. I contributed to this paper.

19 Q. Okay. Was the paper presented in anyplace?

20 A. What?

21 Q. Was the paper presented anywhere?

22 A. The paper is -- this is our annual paper  
23 released to the public, and Aon's clients all receive a  
24 copy.

25 Q. Okay. So, there was no physical, oral, or

1 presentation of it, it was just a published?

2 A. My colleague may have done some presentation,  
3 but I wasn't part of presentation for that year.

4 Q. Okay. So, first of all, I guess this is not  
5 a numbered page, but technically the second page from  
6 the start.

7 A. Uh-huh.

8 Q. It's a big, dark -- is that purple?

9 A. Yes.

10 Q. Okay. Do you see that? And do you see at  
11 the bottom "Return on equity study methodology"?

12 A. Yes.

13 Q. I'm going to read into the record. "The  
14 basis for the prospective return on equity estimate is  
15 state and aggregate statutory filing data, including  
16 reported direct losses, expenses, payout pattern, and  
17 investment yields."

18 Do you recall yesterday you stated that you  
19 have heard people refer to return on equity to mean the  
20 same thing as cost of capital?

21 A. Yes. I said those terms sometimes are  
22 interchangeably used, whether it's proper or improper.

23 Q. Okay. Turning to the next page, I think that  
24 is page 3, you can see the page numbers in the lower  
25 left-hand side.

1 A. Yes.

2 Q. I will just read in the whole first  
3 paragraph. "Aon's headline prospective ROE for the  
4 national cohort is 6 percent."

5 Do you understand ROE to mean return on  
6 equity?

7 A. Yes.

8 Q. Okay. "The simplicity of that statement and  
9 the reported 6 percent return underemphasizes that this  
10 is a prospective calculation. As in prior years'  
11 versions of this study, Aon takes an actuarial view of  
12 trends, capital requirements, catastrophe, and  
13 non-catastrophe losses and reinsurance, among other  
14 inputs. In prior years we assumed all of the loss  
15 trend, exposure trend, and rate impact were fully  
16 earned in, not so for this year's study."

17 When -- when it says, "Aon takes an actuarial  
18 view of trends, capital requirements, catastrophe, and  
19 non-catastrophe losses and reinsurance," when it does  
20 that, what does it mean by "capital requirements"?

21 A. In this specific study, Aon just used our --  
22 we use one model, that is Aon's internal model,  
23 generate expected catastrophe loss countrywide, and  
24 then we consult our rating agency capital advisory  
25 team, ask them what is adequate capital to support this

1 level of catastrophe. This is a capital assumption  
2 built into this report.

3 Q. Okay.

4 A. Those are not real capital. Those are the  
5 capital assumed needed to support this type of risk.

6 Q. And is one of the measuring sticks for that  
7 capital requirement that you say is assumed, what an  
8 investor can expect to get back on -- for returns on  
9 his ownership interest, his shares?

10 A. It's -- on returns, we are just talking about  
11 general return, and we don't really care the form of  
12 the return, in which format is it, dividend or  
13 anything. We just talk about the total return. As an  
14 investor, if I invest this amount of money, I want a 10  
15 percent return. It's easy to say, example, 10 percent.  
16 We are talking general terms.

17 Q. Okay. So, you are -- but they are estimating  
18 the total return?

19 A. Yes. Our capital advisory, not me, yeah.

20 Q. And total return in -- and that's total  
21 return within the meaning of actuaries?

22 A. That's a total return in our -- to our  
23 capital team. It's more from investor's perspective.

24 Q. Right. But who is in your capital team? Are  
25 they actuaries?

1 A. They are -- we have a mixture of capital --  
2 actuary and non-actuaries in the capital advisory team.

3 Q. Okay.

4 A. And, in fact, most of them are non-actuaries.

5 Q. Could you turn to page 7 of that handout?

6 A. Yes.

7 Q. And I'm reading from the start of the top  
8 paragraph.

9 A. Uh-huh.

10 Q. "In its 22 years of publication, the  
11 perspective of this report has always been toward  
12 measuring the long-term health of the homeowner's line  
13 of business. The insurance marketplace will fail to  
14 attract the private capital necessary to create strong  
15 balance sheets capable of withholding -- withstanding  
16 the volatility both from catastrophes and other sorts  
17 if it cannot produce returns commiserate --  
18 commensurate -- excuse me -- with the return  
19 requirements of the owners of capital."

20 Is that true?

21 A. Yes.

22 Q. Okay. And how do you measure the return  
23 requirements of the owners of capital, in your  
24 understanding?

25 A. Again, we just compare with similar

1 investment, use those as a benchmark. For example, the  
2 capital deployed in the similar field if they earn  
3 15 percent. While in homeowner business, they only  
4 earn 6 percent. That means homeowner business is not  
5 attractive. This is just my general understanding of  
6 our methodology.

7 Q. When you say capital employed in the  
8 comparable business, is part of measuring that capital  
9 employed looking at what the earnings on capital and  
10 surplus investments are?

11 A. I am talking about a general capital  
12 provider, such as investor, because now in insurance  
13 industry investors even include private equity firms.  
14 So, I am talking about the capital from investors'  
15 perspective.

16 Q. Right. And how do investors estimate their  
17 expectations from capital?

18 A. I believe Dr. Zanjani is an expert on that.  
19 And, to me, my general understanding is I just rely on  
20 those experts' estimate, and I look at the number. For  
21 me what is a reasonable return, is that 15? Is that  
22 18? So, those are the number I care. I don't really  
23 care how that number is derived.

24 Q. Okay. And -- but you agree that your  
25 measurement of catastrophe or the hurricane loss and

1 your measurement of the net cost of reinsurance become  
2 part of the analysis of what the cost of capital is?

3 A. Yes, I agree. But not vice versa because  
4 what cost of capital is doesn't really come -- impact  
5 my job. My job is to provide input to their study.

6 Q. Okay. Turn to page 16.

7 A. Yes.

8 Q. And I'm looking at that -- the second of the  
9 two maps of the United States.

10 A. Yes.

11 Q. Excuse me. And just to remind us, this -- if  
12 you want to go back to the first page, this is a report  
13 as of November 2023?

14 A. Yes. Our new report just released recently.

15 Q. Okay. Well, looking as of November 2023,  
16 that was still before you -- the Bureau filed its  
17 current filing; is that correct?

18 A. That's before the Bureau filed the current  
19 filing, but most of the work for that filing has been  
20 accomplished at that time.

21 Q. Okay. When did you sign your Rule 38  
22 certificate?

23 A. It was in late 2021.

24 Q. Okay.

25 A. One model -- those models were released in



1 summer 2021. I completed my ASOP review for both  
2 models in late 2021. Those two models were used for  
3 this rate filing.

4 Q. What date did you sign off on your direct  
5 testimony for the Bureau in this filing?

6 A. That, I don't recall. It's -- yeah, I can  
7 find that date, but it's weeks before the filing was  
8 made.

9 Q. Okay. So, do you recall whether you signed  
10 off on that after November 2023?

11 A. No, it's -- I don't remember. I don't  
12 remember that date.

13 Q. All right. So, going back then to page 16.

14 A. Sure.

15 Q. Is my understanding right that this map and  
16 the map on the following page show the rate needed for  
17 the national and specialist cohorts to achieve a  
18 10 percent return on equity on a direct basis; is that  
19 correct?

20 A. Yes.

21 Q. Okay. Then I am going to read into the  
22 record the last sentence of that first paragraph.

23 "The actual rate and return needs of any  
24 individual carrier will vary depending on portfolio  
25 distribution, competitive and strategic decisions, risk

1 appetite, and the demands of the policyholders, owners,  
2 and other stakeholders."

3 Do you know how you meant -- how they are  
4 measuring the demands of the policyholders, owners, and  
5 other stakeholders? I guess, particularly, the owners  
6 and other stakeholders.

7 A. This is a general statement. I don't know  
8 whether each company will measure their demand on  
9 policyholder, owner, and other.

10 Q. Okay.

11 A. No, because this risk appetite and the demand  
12 of that return, it really vary by company. So this  
13 is our statement was saying is their actual look  
14 different from our study. It's really depend on each  
15 company.

16 Q. Okay. So, now I am going to look at the map,  
17 the color map there on the left.

18 A. Sure.

19 Q. And, I'm going to be honest, I am kind of  
20 color blind. It comes out with reds and oranges and  
21 yellows, and it also comes out blue. So, I am going to  
22 try to identify the colors, but I may not -- if I am  
23 looking -- describing it as the wrong color, please  
24 tell me.

25 A. Sure.

1 Q. So, these -- according to the color codes,  
2 these are the rates needed for the national specialist  
3 cohorts to achieve a 10 percent rate of equity. Would  
4 those national and specialists cohorts include the  
5 members of the Rate Bureau to write homeowners'?

6 A. Yes.

7 Q. And so, the darkest color represents 15 or  
8 above?

9 A. Correct, but this is national carrier. The  
10 next chart has a different view for the specialist  
11 carrier, and you can see the rate need for specialists  
12 are much higher because the color is much, much darker  
13 in the next page. Yes, this one, Exhibit 21.

14 Q. Okay. But I am talking about Exhibit 20  
15 right now.

16 A. Okay. That's fine.

17 Q. When you say that -- you just said "this is  
18 national"?

19 A. Correct.

20 Q. Is that a national estimation of what is rate  
21 of equity or return on equity is needed in North  
22 Carolina, in part?

23 A. Yes. Those are our national carriers include  
24 eight largest national carriers.

25 Q. And out of the 110 or so homeowners' writers

1 in North Carolina that belong to the Bureau, how many  
2 of them are the national carriers?

3 A. That, I don't have that number readily  
4 available.

5 Q. Okay. Would you say it's more than half,  
6 less than half?

7 A. I don't know the answer.

8 Q. Okay. How many of them are Aon national  
9 clients?

10 A. I don't have that exact number without the  
11 detailed information ahead of me.

12 Q. And you said -- Exhibit 21, when you say  
13 "specialist carriers," does that mean -- what does  
14 "specialist carriers" mean?

15 A. Specialist carriers mean those are more  
16 regional carriers and they write in one single state or  
17 multiple states within that region, and those carriers  
18 are typically have different type of operation and  
19 different pattern of reinsurance buying.

20 Q. So, specialist carriers can include either  
21 regional or state-specific carriers?

22 A. Correct.

23 Q. Do you know how many state-specific carriers  
24 there are in North Carolina?

25 A. The only one I am aware is the North Carolina

1 Farm Bureau.

2 Q. Okay. So, going back to Exhibit 20. The --

3 MS. FUNDERBURK: And just for  
4 clarification, it's Exhibit 20 contained on page 16  
5 of -- sorry. Let me actually identify the exhibit  
6 for DOI.

7 MR. FRIEDMAN: It is -- oh, would you  
8 like me to clarify, Your Honor? It's exhibit --

9 MS. FUNDERBURK: Of Exhibit 14. It is  
10 not DOI Exhibit 20. It's Exhibit 20 contained within  
11 Exhibit 14 from DOI, correct?

12 MR. FRIEDMAN: Yes.

13 MS. FUNDERBURK: I just want to clarify  
14 for purposes of the record.

15 Q. The -- according to this color, this exhibit,  
16 the -- for the national carriers that do business in  
17 North Carolina, they need 15 percent or above as of  
18 November 2023; is that correct?

19 A. Yeah. If I read the color, yes, that's  
20 correct.

21 Q. Okay. And then it looks like Louisiana also  
22 needs, according to this report, 15 percent or above?

23 A. Yes, according to our analysis.

24 Q. Okay. Meanwhile, Florida, as far as I can  
25 understand the colors, doesn't need any rate change?

1 A. That's correct, because Florida had  
2 significant rate activities in 2023.

3 Q. Okay. Because -- so, the cost of their  
4 insurance was really going up when you say --

5 A. Yes. Remember our report is prospective.  
6 So, the rate level reflected in our report is what is  
7 the rate level for next year after -- the year after  
8 this report. So, we reflected those rate activities in  
9 our on-level premium analysis. We collect rate  
10 increase among various carriers, and we calculate  
11 expected rate level for these calculation.

12 Q. Okay. So, Exhibit 20 reflects Aon's expected  
13 necessary rate for the national carriers that do  
14 business in those states?

15 A. Do business countrywide, yeah.

16 Q. Right.

17 A. Yeah. It's by state, yeah. This is our view  
18 of how much additional rate national carrier will need  
19 in order to achieve 10 percent ROE.

20 Q. And Texas also -- it looks like Texas  
21 needs -- the national carriers that are writing  
22 homeowners' in Texas only need a 1 to 4 percent  
23 increase?

24 A. I think, based on this chart, it's 5 -- it's  
25 in 5 to 9 category.

1 Q. Thank you. I could not, honestly, tell that  
2 color. So, they need a 5 to 9?

3 A. Yeah.

4 Q. All right. And, then, is it -- sorry. I'm  
5 so color blind. But the South Carolina --

6 A. Yes.

7 Q. -- is it a 5 -- is that saying it needs a 5  
8 to 9 percent increase?

9 A. It's 10 to 14 percent -- oh, sorry, it's 5 to  
10 9, yes. 5 to 9, yeah.

11 Q. Okay. And, then, you're saying, I believe,  
12 this says Georgia needs a 10 to 14 percent increase?

13 A. Correct.

14 Q. Okay. Are any of the states --

15 MR. FRIEDMAN: One second, Your Honor.

16 Just understand my chicken scratch here.

17 Q. Are any of the states on this -- from Texas  
18 through the Gulf states, through the Eastern seaboard,  
19 to your knowledge, are any of those completely  
20 unregulated states as far as rates go?

21 A. As far as I know, none of hurricane states  
22 are completely unregulated.

23 (Exhibit DOI-26 was marked for  
24 identification.)

25 Q. I've also handed up what's been marked as

1 DOI -26, and it is Use of Catastrophe Model Output.

2 It's a publication by the American Academy of

3 Actuaries?

4 A. Yes.

5 Q. Do you recognize this publication?

6 A. Yes, I am one of the contributors to this  
7 paper. To be specific, I contributed page 11  
8 through 23.

9 Q. So, you contributed to pages 11 through 23?

10 A. Yes.

11 Q. So, if you could turn to page 13.

12 A. Yes.

13 Q. Above that calculation, I guess the second  
14 paragraph. I will read from the first two sentences.  
15 "To adequately insure a risk, an insurer must commit a  
16 certain level of capital beyond the expected annual  
17 loss to cover the potential for catastrophic loss.  
18 This risk load should be sufficient to cover the cost  
19 of capital, including a profit provision."

20 What do you mean when you wrote, "should be  
21 sufficient to cover the cost of capital, including a  
22 profit provision"?

23 A. This is what I mean, one, hurricane provision  
24 is volatile and one -- for any insurer they -- when  
25 they have these exposure, they put their capital at



1 risk. And so, therefore, they need to be compensated.  
2 And it really -- the carrier may elect to retain that  
3 risk by themselves. In that case, they also need to  
4 charge an adequate provision. If they decide to cede  
5 that risk, they -- that -- yeah, either they retain or  
6 they cede. Adequate return should be adequate  
7 compensation, they should receive adequate compensation  
8 for that risk.

9 Q. And what is "to cede the risk" in this  
10 context mean?

11 A. Cede the risk means buying reinsurance.

12 Q. Okay. And so, when you wrote "sufficient to  
13 cover the cost of capital," could you just explain to  
14 me what your measurements of the cost of capital were?

15 A. So, again, that is -- I am using a general  
16 term. And so, what is an adequate return is really  
17 depend on company's risk appetite. Is that the  
18 company -- what is their investors' appetite? So,  
19 generally, I leave to company to decide what is the  
20 adequate return for them. And, here, I am just saying,  
21 yeah, they need to have adequate return in their view.

22 Q. So, the cost of capital here would depend on  
23 what's an adequate return -- or depend, in part, on  
24 what is an adequate return for the company's investors?

25 A. Yes, and also -- yes, that's correct. And

1 also depend on the concentration, the volatility of  
2 this risk. So, if you look at my -- that equation,  
3 it's just in hurricane risk, the standard deviations,  
4 the volatility of the risk, also drives the need for  
5 return.

6 Q. That's all I've got about this ar- -- well,  
7 first of all, I appreciate you're trying to explain the  
8 formula to me. I have no idea if you're right. I  
9 can't understand higher math. You can. That's why  
10 you're an actuary.

11 All right. How -- so, is it true that some  
12 homeowners' policyholders have supplemental coverage  
13 that would cover some of the losses that might  
14 otherwise fall under their homeowners' policies?

15 A. I am not sure. Can you clarify? I'm not  
16 sure what you are talking about.

17 Q. Sure. Are there homeowner's who, in addition  
18 to having their homeowners' insurance, have coverage  
19 for water or sewage backup and either that's a rider or  
20 it's, as I understand it, a separate policy?

21 A. Those could be through endorsement, I  
22 believe. Some risks, like an earthquake, shake, it  
23 is -- it is through endorsement. And the water backs  
24 up your drains, those are also endorsement. But  
25 sometimes it could be a separate policy.

1 Q. When you're receiving data from -- well, does  
2 your use of the models include any offset that would  
3 represent those additional policies, the coverage  
4 provided by those?

5 A. I didn't compile the data, so I am not sure  
6 what assumptions goes into that data. However, after  
7 we receive the data, we do not -- we do not assume  
8 those policies have separate coverage. Those are  
9 the -- those are the -- we just import those data based  
10 on the limit of policy conditions into the model. We  
11 make no further assumptions.

12 Q. Okay. Would you agree that if you were  
13 measuring the homeowner carrier's loss for a particular  
14 policyholder who had not just homeowners' but a  
15 separate policy covering water or sewer backup --

16 MR. SPIVEY: Objection. There's been no  
17 foundation laid to establish that that's a separate  
18 policy. It's actually part of the same policy.

19 MR. FRIEDMAN: She just testified that  
20 sometimes the water or sewer backup --

21 MR. SPIVEY: It's an endorsement.

22 MR. FRIEDMAN: No, well --

23 MS. FUNDERBURK: One at a time.

24 MR. SPIVEY: It's an endorsement to that  
25 policy.

1 MR. FRIEDMAN: So, she testified,  
2 actually, that sometimes it can be a separate policy,  
3 and you're saying it's an endorsement, but those were  
4 her words. She said sometimes it's an endorsement.

5 A. That's what I said.

6 MS. FUNDERBURK: Just a moment, Ms. Mao.

7 THE WITNESS: Sorry.

8 MS. FUNDERBURK: I appreciate your  
9 willingness to clarify, but just a moment.

10 MR. FRIEDMAN: Just that. I'm going off  
11 of what she said.

12 Q. Do you need to clarify? Is your  
13 understanding of all water or sewer backup coverage  
14 only being an endorsement and not a separate policy?

15 A. Usually it's through endorsement, yes.

16 Q. Okay. So, assuming, then, the water and  
17 sewer -- assuming they have an endorsement for water or  
18 sewer backup --

19 A. Yes.

20 Q. -- that a policyholder has that endorsement.

21 A. Uh-huh.

22 Q. I will drop that question.

23 What's a debris removal endorsement?

24 A. Debris removal means if there is -- say if a  
25 tree fall on your home and those -- there are debris,

1 and it costs policyholder extra to remove those debris.

2 Yeah, it's just, by words, it's pretty obvious.

3 Q. So, do homeowners' policies cover the cost of  
4 removing trees that may fall on a hurricane but don't  
5 actually hit the house?

6 A. I think you're talking about homeowner  
7 policies and each company's homeowner policy could be  
8 different. So, we really cannot generalize what is  
9 covered and what is not covered. If you give me a  
10 specific policy, I could read it and interpret what is  
11 covered.

12 Q. Have you ever seen a homeowners' policy that  
13 would cover merely removing trees that didn't hit the  
14 house?

15 A. I don't remember I specifically read that  
16 part of the policy.

17 Q. Okay. After hurricanes, are you aware of  
18 whether any local government, state government, will,  
19 themselves, go and clear trees that have fallen on  
20 houses instead of the insurer doing that?

21 A. Am I aware of that? No, I am not aware. I  
22 don't remember.

23 Q. So, you don't know one way or the other  
24 whether --

25 A. I don't know one way or the other.

1 Q. Okay. And as far as contamination and  
2 associated cleanup costs, do you know whether sometimes  
3 governments, not the homeowners' carriers, take on that  
4 cost?

5 A. I am not aware. I don't know one way or the  
6 other.

7 Q. Okay. So, I handed up a one-page exhibit.  
8 It's marked DOI-27.

9 A. Yes.

10 (Exhibit DOI-27 was marked for  
11 identification.)

12 Q. Have you ever -- from what I understand is a  
13 report from a publication named Business Insurance.  
14 Have you ever seen this report?

15 A. I believe I see it. If not before, I see it  
16 now.

17 Q. Okay. So, did Aon, I guess at some point, it  
18 sounds like in late 2023, issue a report pertaining to  
19 property and catastrophe reinsurance renewals?

20 A. Yes, it's our -- I believe it's, like, a  
21 semiannual report or even sometime I see more frequent  
22 than that. The reason is the major renewal of  
23 reinsurance happen either June 1st, July 1st, or 1/1,  
24 January 1. So, we typically publish our observation  
25 based on those renewals.

1 Q. So, January 1st of 2024 --

2 A. Uh-huh.

3 Q. -- that would have been addressed in Aon's  
4 report?

5 A. Yes. These report plus posted January 3  
6 should reflect our observation of the January 1, 2024,  
7 renewal.

8 Q. Okay. And is that assuming that the renewal  
9 is for the calendar year?

10 A. The renewal is not for calendar year. It's  
11 if you are 1/1 renewal, then it's -- yeah, your next  
12 renewal is 1/1, but if you're a 7/1 renewal, that means  
13 your treaty effective 7/1 this year to June 30th next  
14 year.

15 Q. Okay. So, this report, as I understand what  
16 you are saying, would have addressed what the average  
17 increases were as of July 1, 2024?

18 A. It's -- yes. It's an increase from July 2023  
19 to January 2024, that is -- yeah, we -- if we say  
20 single-digit hike at a property, that means for  
21 January 2024 renewal that is the price increase.

22 Q. And the January 2024 renewal goes from what  
23 period?

24 A. From the June or July of the 2023.

25 Q. Okay. Thank you for clarifying that.

1           So, the January 1st renewal, the price, then,  
2 would last until June or July 2024?

3           A.     These price will be for this -- for the  
4 companies purchasing renewal reinsurance for June --  
5 for January 2024. However, there will be batch of  
6 reinsurer -- batch of insurers that renew their treaty  
7 in June and July in 2025.

8           Q.     June or July of 2023?

9           A.     I'm sorry, June or July of 2025, there will  
10 be other -- because companies on different renewal  
11 schedule.

12          Q.     So, all I'm trying -- and this is, truly, I  
13 am not understanding it.

14          A.     Uh-huh.

15          Q.     The report that Aon issued saying --

16          A.     Okay.

17          Q.     -- that the average increases were in the  
18 single digits --

19          A.     Oh.

20          Q.     -- is -- for what period of time is that  
21 report covering?

22          A.     For the -- just a second. Let me read. So,  
23 you are asking -- so, what is your specific question?

24          Q.     My question is, Aon issued a report --

25          A.     Uh-huh.



1 Q. -- that estimated single-digit increases in  
2 reinsurance costs --

3 A. Yeah.

4 Q. -- is that correct?

5 A. Yes.

6 Q. And over what period of time is Aon  
7 predicting there will be single digits in reinsurance  
8 renewal costs?

9 A. Okay. Yeah. This is -- this is a good  
10 question. And, yeah, initially I was thinking this  
11 single digit is from June or July 2023 because that's  
12 the last time we publish our report. But I have to  
13 confirm that information.

14 Q. Okay. But the report, you said there was a  
15 report published in -- I thought you said right before  
16 this date at the start of January or --

17 A. We have those reports published on a  
18 semi-annual basis. It will be around this time. So,  
19 this report, this article referenced that report.

20 Q. Okay. Do you see a reference in this report  
21 or in this article to what period of time Aon's  
22 prediction --

23 A. Yes. Now, I see. I see it said, "hike of a  
24 year ago during what many described as an arduous or  
25 even contentious process." Yeah, now -- yes, the

1 article reference a year ago.

2 Q. So, what paragraph is that in?

3 A. It's the second paragraph.

4 Q. The seventh paragraph that says, "The" -- is  
5 that the one that begins with "The record cat bond"?

6 A. No, the second paragraph said, "The more  
7 modest rate increase were in marked."

8 Q. Oh, I'm sorry. I misunderstood you. I  
9 thought you were saying the seventh paragraph.

10 A. Oh, sorry. Yeah. Okay.

11 Q. Okay. So, that's -- I will read it into the  
12 record. "The more modest rate increases were in marked  
13 contrast to the double-digit property hikes of a year  
14 ago" --

15 A. Uh-huh.

16 Q. -- "during what many described as an arduous,  
17 even contentious, process."

18 So, it's comparing your report's estimates to  
19 the double-digit property hikes of a year ago; is that  
20 right?

21 A. Correct. It said a year ago there was double  
22 digits. This time it's single-digit increase.

23 However, the increase are still cumulative. So, if you  
24 have double digits last year, and this year you only  
25 have single digits, that means you still -- it's still

1 multiplicative. It doesn't mean your rate is any lower  
2 than last year.

3 Q. Okay. So, I guess go back to the first  
4 question then. When Aon issued its report, sounds like  
5 sometime early in January 2024, what period of time was  
6 that report estimating the increases in the net cost of  
7 reinsurance?

8 A. I don't have a definitive answer to that. It  
9 could be one way or the other, it could be from one  
10 year ago, it could be from last report. I don't have  
11 definitive answer for that question.

12 Q. Okay. And how much of an increase in  
13 reinsurance costs does your analysis of the North  
14 Carolina -- well, how much North Carolina reinsurance  
15 costs did you estimate?

16 A. We use Aon's database. Those are the actual  
17 pricing that reflected these changes, but our data  
18 vintage is for mid of the 2023. We use that data  
19 footprint for these Bureau rate filing. So, it is  
20 reflected on the rate we see at the time of renewal.

21 Q. And what was the average increase or what was  
22 the -- how much of an increase in the net cost of  
23 reinsurance did you estimate the Bureau members needed?

24 MR. SPIVEY: Is that a comparison? Like  
25 how much of an increase? I mean --

1 Q. In the report how much of -- how much is the  
2 Bureau asking for an increase in terms of the -- I  
3 believe you testified yesterday that it was around  
4 31 percent of the premium dollar for the net cost of  
5 reinsurance.

6 MR. SPIVEY: But that's a portion of the  
7 rate. Are you talking about an increase from  
8 something? What is it you're asking?

9 MS. FUNDERBURK: Can you, Mr. Friedman,  
10 break the question down into subparts rather than  
11 compound and ask the subparts as you go?

12 MR. FRIEDMAN: Yes, ma'am.

13 MS. FUNDERBURK: Thank you.

14 Q. For beginning on the effective date requested  
15 in the filing --

16 A. Uh-huh.

17 Q. -- how much of an increase in the manual  
18 rate, what percentage is the Bureau asking for its  
19 members?

20 A. Your question is how much rate increase  
21 Bureau is filing for this rate?

22 Q. No, no. How much of a rate increase that's  
23 attributable to the net cost of reinsurance?

24 A. That is not part of my analysis. That would  
25 be in Mr. Anderson's analysis, I believe. Or it --

1 because there will be an exhibit showing each component  
2 contributed to the rate change, but I am not the one  
3 who generated that piece of information. So, I don't  
4 have the number.

5 Q. Okay.

6 A. Yeah.

7 Q. And my understanding from Mr. Anderson was  
8 simply that he had -- the only thing he had firsthand  
9 calculated was the contingency provision. Is it  
10 possible that it's Mr. Ericksen?

11 A. It could be Mr. Ericksen. But, yeah, it's  
12 not a part of my job for Bureau.

13 Q. Okay. Thank you.

14 A. And I also want to point out that since  
15 you're talking about the increase in the reinsurance,  
16 the increase we are talking about here is not just one  
17 year. It's from our last filing and that filing was  
18 2020 rate filing. So, we are talking about four years  
19 of increase in reinsurance, and those four years is the  
20 hardest market of insurance and the magnitude of  
21 reinsurance cost increase in the four years are  
22 unprecedented.

23 Q. You calculate -- in looking at the net costs  
24 for those four years, are you actually looking at what  
25 the Bureau members paid for reinsurance?

1           A.     We are -- we are -- we already talk about our  
2 methodology. We are using the actual data to reflect  
3 the composite one company, treat Bureau as one company,  
4 and our data include those Bureau members who are doing  
5 business in North Carolina.

6           Q.     Who are North Carolina -- who are Aon  
7 clients, right?

8           A.     Who are Aon clients, that's correct.

9           Q.     And you don't know how many of those there  
10 are?

11          A.     I don't have exact number. I can find out,  
12 but I don't memorize those numbers.

13          Q.     When you're looking at that number, the  
14 actual costs for however many Aon clients are doing  
15 business in North Carolina, are you including the price  
16 that they charge for or what they receive from their  
17 consent to rate policies?

18          A.     Consent to rate, those -- your question is do  
19 I include their premium in my calculation?

20          Q.     Yes, and would that premium include consent  
21 to rate?

22          A.     So, just to clarify, reinsurance costs is  
23 not -- we are not looking at their policy premium. We  
24 are looking at their modeled loss and the indicated  
25 reinsurance cost based on their modeled loss and based

1 on the standard deviation of the risk. We are not  
2 looking at the rate, either consent or manual rates.  
3 That's not part of our reinsurance calculation.

4 Q. Okay. But you are looking, for however many  
5 Aon clients there are, you're looking at, in some way,  
6 the actual premium they are paying for the net cost of  
7 reinsurance?

8 A. Correct. We are looking at the actual  
9 reinsurance premium they are paying in order to  
10 calculate the net cost of reinsurance.

11 Q. Okay. And in determining what they are  
12 actually paying, are you taking into account the  
13 proceeds they have from CTR in any part?

14 A. So, you're asking their proceed from CTR?  
15 CTR is what, consent to rate?

16 Q. Yes, ma'am, sorry. I should have clarified.

17 A. Yeah, I think I just want to clarify again.  
18 We are not using their policy premium. So, CTR premium  
19 is not part of the reinsurance calculation.  
20 Reinsurance calculation using the expected loss,  
21 expected reinsurance loss, and the correlation of the  
22 reinsurance pricing. So, the actual policy premium on  
23 CTR are not part of our reinsurance calculation.

24 Q. Oh, okay.

25 So, for those, however many Aon clients,

1 you're not actually calculating your modeled  
2 reinsurance based on their actual premium costs for  
3 net -- for reinsurance?

4 A. We are using their actual reinsurance premium  
5 costs but not their actual policy premium.

6 Q. What's the difference between measuring  
7 reinsurance premium costs versus policy premium costs  
8 for reinsurance?

9 A. There is no such term on policy premium for  
10 reinsurance. Policy premium means if you're a  
11 policyholder, this is your total premium you will pay  
12 to ensure your home. And --

13 Q. Okay.

14 A. -- among that premium, part of that is to pay  
15 your expected loss, part of that is pay reinsurance.  
16 So, reinsurance premium is a subset of your total  
17 policy premium. However, when we determine the  
18 reinsurance premium, we use reinsurance expected loss  
19 and the risk concentration and the market pricing, and  
20 then we calculate the reinsurance premium. Then the  
21 other actuary will take that into account to develop  
22 the total premium, but not the other way around. We  
23 don't use the total premium to derive the reinsurance  
24 premium.

25 Q. So, I may have very fundamentally



1 mi s u n d e r s t o o d t h i s f r o m t h e g e t - g o . W h e n y o u s a y t h a t  
2 y o u a r e t a k i n g i n t o a c c o u n t t h e p r e m i u m c o s t s f o r  
3 r e i n s u r a n c e o f A o n ' s c l i e n t s , a r e y o u s a y i n g w h a t y o u  
4 a r e t a k i n g i n t o a c c o u n t i s t h e i r e s t i m a t i o n o f h o w m u c h  
5 o f t h e p o l i c y h o l d e r s ' p r e m i u m d o l l a r --

6 A. No.

7 Q. No?

8 A. No. We are estimating how much these --  
9 t h o s e i n s u r a n c e c o m p a n i e s s p e n t o n t h e i r r e i n s u r a n c e  
10 a n d t o b u y c e r t a i n l a y e r s o f c o v e r a g e .

11 Q. Okay. Right. And so, you are including the  
12 a c t u a l n u m b e r s t h a t t h o s e A o n c l i e n t s i n N o r t h C a r o l i n a  
13 a r e p a y i n g t o b u y t h e i r r e i n s u r a n c e ?

14 A. Yes. We are using marketing data for those  
15 r e a l c o m p a n i e s b u y i n g r e i n s u r a n c e t o c o v e r t h e i r  
16 c a t a s t r o p h e r i s k , i n c l u d i n g N o r t h C a r o l i n a r i s k .

17 Q. Okay. Sorry, I got over my head. So, moving  
18 o n t o d e m a n d s u r g e .

19 A. Okay.

20 M S . F U N D E R B U R K : I w i l l a s k , M s . M a o , d o  
21 y o u n e e d a b r e a k o r --

22 T H E W I T N E S S : N o , I ' m g o o d .

23 M S . F U N D E R B U R K : -- b e c a u s e w e ' r e a b o u t  
24 m i d m o r n i n g . O k a y . T h a n k y o u .

25 C o u n s e l , a r e y o u f i n e t o p r o c e e d o r d o

1 either of you need a break?

2 MR. FRIEDMAN: I am fine to proceed.  
3 I'm looking around the room to see if anybody else  
4 needs.

5 MS. FUNDERBURK: Okay. Please proceed,  
6 Mr. Friedman.

7 MR. SPIVEY: I might just inquire, are  
8 we anywhere near concluding cross-examination,  
9 because I would expect to ask for a short break to  
10 regroup for redirect. And if we are not close, I  
11 would say it's pretty middle of the morning. It  
12 might be time for a break. But if we are pretty  
13 close, then we would be getting a break then.

14 MS. FUNDERBURK: Mr. Friedman?

15 MR. FRIEDMAN: Obviously, the pace of  
16 the questions depends on both my understanding of the  
17 concepts and Ms. Mao's phrasing of the responses.

18 MS. FUNDERBURK: What's your estimate?

19 MR. FRIEDMAN: My best estimate,  
20 honestly, is about an hour.

21 MS. FUNDERBURK: Okay.

22 THE WITNESS: Okay. Yeah, let's  
23 continue.

24 MS. FUNDERBURK: Why don't we go ahead  
25 and take a break then. We are in recess for ten

1 minutes.

2 Ms. Mao, I will again remind you, when  
3 you return, you will continue to be under oath.

4 Thank you.

5 (Recess from 10:39 to 10:54 a.m.)

6 MS. FUNDERBURK: We are back on the  
7 record.

8 Counsel, is there anything we need to  
9 address before we resume the cross of Ms. Mao?

10 MR. FRIEDMAN: No, ma'am.

11 MR. SPIVEY: No, Your Honor.

12 MS. FUNDERBURK: Ms. Mao, I will, again,  
13 remind you, you continue to be under oath.

14 Mr. Friedman, please proceed with your  
15 cross-examination.

16 Q. When you are calculating the net cost of  
17 reinsurance, is that also considering that reinsurance  
18 that is obtained by purchasing cat bonds?

19 A. No. It's only -- we only use the database as  
20 reflecting the occurrence-based reinsurance.

21 Q. Okay. So, a policy base- -- in other words,  
22 you're reflecting the cost that would cost to buy the  
23 policy --

24 A. Right.

25 Q. -- of reinsurance?

1           A.     Yeah. We only include those treaties to  
2 cover the catastrophe excessive loss type of  
3 reinsurance transactions.

4           Q.     Okay.

5           A.     Cat bonds are totally in a separate database  
6 not included in this.

7           Q.     Do some North Carolina homeowners obtain the  
8 equivalent of their reinsurance by purchasing cat  
9 bonds?

10          A.     That, I don't -- I don't have that  
11 information. And also, like, cat bond, cat bond just  
12 similar to reinsurance. They are not state specific.  
13 It's typically company issues a bond, cover a region,  
14 cover all the perils, so, yeah, there is really no  
15 North Carolina-specific cat bond.

16          Q.     Okay. But they -- some carriers can obtain  
17 the equivalent of reinsurance by purchasing a regional  
18 cat bond; is that fair?

19          A.     It's not always equivalent. That is because  
20 a cat bond and the reinsurance are very different risk  
21 transfer mechanisms and reinsurance are typically  
22 attach and exhaust lower, and the cat bond typically  
23 attach and exhaust at a higher layer. Yeah, it's just  
24 there are a lot of differences in reinsurance and the  
25 cat bond.

1 Q. Okay.

2 A. But it's possible that a company have both.

3 Q. Okay.

4 A. Yes.

5 Q. And you don't know how many homeowners'  
6 carriers in North Carolina, whether they are national  
7 or local, are achieving some portion of their  
8 reinsurance, or the equivalent of it, by purchasing cat  
9 bonds?

10 A. I have a general number of -- from the global  
11 risk transfer perspective. Reinsurance capital  
12 accounts for 85 percent of the global risk transfer,  
13 while cat bond is account for about 6 percent of the  
14 global risk transfer.

15 Q. Okay. And in North Carolina do you have a  
16 sense of what that percentage is?

17 A. No. We don't have that -- we don't have  
18 state specific information.

19 Q. Okay. In your experience, are cat bonds  
20 purchasing the equivalent of coverage from reinsurance  
21 coverage through a cat bond, is it less expensive or  
22 more expensive than purchasing a policy?

23 A. It also varies by time because cat bond is  
24 event -- so, they are in the similar trend with  
25 reinsurance, but because they are attaching at place,

1 you can really -- you cannot really compare the  
2 apple-to-apple pricing. But in recent -- the recent  
3 data point shows us the margin of the cat bond are  
4 actually become similar to margin of reinsurance based  
5 on the January 2024 data point.

6 Q. Okay. So, moving on to demand surge -- and I  
7 will go through this really briefly. In the demand  
8 surge functions for either the AIR models or -- well,  
9 let me ask this. You used, as I understand it, the AIR  
10 standard and the RMS historical to calculate demand  
11 surge; is that correct?

12 A. We use the AIR -- AIR long-term and RMS  
13 historical to calculate hurricane loss costs, including  
14 demand surge. Because demand surge is -- it is a  
15 factor that's applicable to all events, yeah.

16 Q. Okay. So, from the data that you provided  
17 the Commissioner with your report, can the Commissioner  
18 tell what percentage of demand surge is attributable to  
19 hurricanes that made landfall in another state versus  
20 those that made landfall in North Carolina?

21 A. We provided an event loss table, including  
22 both AIR and RMS. And in that loss table, there is a  
23 field with and without demand surge, and it's by event  
24 information. And also in the same table, there is an  
25 event description showing what is -- where the event is

1 making landfall.

2           So, yeah, if you go through the database in  
3 the detail, it's possible you derive something.  
4 However, it's only the -- in the description, it's only  
5 the first landfall. It doesn't account for the second  
6 and the third landfall. So, to the extent it's  
7 possible a hurricane making the landfall in Florida,  
8 then later making a second landfall in North Carolina,  
9 but our database will just show it's a Florida landfall  
10 hurricane. So there is a limitation for that data and  
11 it's not perfect, but you can get some idea from the  
12 info.

13           Q.     And that's for those calculations you've run  
14 with demand surge?

15           A.     Correct, both calculation we run with demand  
16 surge.

17           Q.     Can you discern if it was a modeled hurricane  
18 with modeled demand surge -- first of all, is demand  
19 surge modeled, or is it based on actual data about the  
20 prices being charged for all those elements of demand  
21 surge that surround a hurricane?

22           A.     Yeah, I can talk high level generally how  
23 demand surge function was developed. So, the demand  
24 surge is -- for every vendors when they get the claims'  
25 data to calibrate their model, the claims' data itself

1 include demand surge, to a degree, because it's a  
2 result of demand surge. And, then, from there, vendors  
3 study the typical pricing patterns with and without  
4 those large event, and, then, they try to estimate how  
5 much is driven by the event. And the modeling vendor  
6 generally apply a demand surge based on the industry  
7 event, based on the industry loss of the surge event.  
8 So, the higher the event, the higher the demand surge.

9           And for AIR model, it also has a concept of  
10 the residual demand surge. So, that means if an event  
11 happened before this event, there will be some residual  
12 demand surge passed over to this event. So, you will  
13 get a cumulative demand surge. So, this is the general  
14 methodology.

15           And so, in my opinion, the loss with demand  
16 surge is more credible because this is based on the  
17 actual data they collected and the loss included,  
18 already included demand surge. However, in order to  
19 calculate loss without demand surge, then you need to  
20 figure a way to back the demand surge out of the loss.  
21 So, it's in that process you introduce more  
22 uncertainties into the result. So, it's my  
23 professional opinion loss without demand surge is more  
24 credible than loss -- sorry, loss with demand surge is  
25 more credible than loss without demand surge.



1 Q. Okay. So, are you saying that the AIR and  
2 RMS models you ran for the demand surge function  
3 include the demand surge -- the actual demand surge  
4 related to actual hurricanes?

5 A. The demand surge function was developed based  
6 on vendors' study of actual hurricane results.

7 Q. Okay. And it was based on -- did that  
8 include a study of how much the people creating the  
9 demand surge, the people charging -- let me finish my  
10 question.

11 A. Go ahead.

12 Q. Yeah, thanks. How much they were actually  
13 charging for all the things that contribute to the  
14 demand surge?

15 A. The demand surge function include both  
16 material costs and the labor costs.

17 Q. Okay. So, the demand surge function includes  
18 some actual hurricane -- I mean, it includes how much  
19 was actually being charged insurers for actual  
20 hurricane losses; is that correct?

21 A. It is derived from data that includes  
22 hurricane loss with demand surge, yeah, include the  
23 actual claims dollar.

24 Q. Okay. And is some part of that also derived  
25 from modeled loss and modeled demand surge?

1           A.       That part I am -- I don't -- I don't quite  
2 get your answer because they are -- they derive the  
3 demand surge factor, then modeled loss with/without  
4 demand surge is an output of the demand surge function.  
5 That's my opinion. They use, based on economic data,  
6 to derive the demand surge function. They don't use  
7 the modeled result to derive the demand surge function.

8           Q.       Okay. I think that clarifies it. I  
9 appreciate that. You've taught me a great deal about  
10 hurricane modeling.

11                   So, can the Commissioner, if you stated that  
12 it is possible to figure out where -- which hurricane's  
13 creating -- which modeled hurricane's creating demand  
14 surge in North Carolina are non-landfall and which ones  
15 are landfall; is that correct, as I understood you?

16           A.       There is limitations in the information  
17 that -- yeah, you could -- yeah, it's possible that you  
18 missed some hurricanes that make second landfall in  
19 North Carolina, but you would think it never make  
20 landfall in North Carolina, yeah.

21           Q.       Okay. Now, with regard to the actual  
22 percentage of demand surge, is -- are you making any  
23 difference in those between the percentage of demand  
24 surge for modeled non-landfall hurricanes and the  
25 percentage of demand surge for modeled landfall

1 hurri canes?

2 A. It's vendor's decision, and I believe they  
3 don't vary the demand surge by landfall or  
4 non-landfall. They determine the demand surge factor  
5 based on the total industry loss and also where the  
6 hurricane hit. For example, if the hurricane hitting  
7 Hawaii and the demand surge will be much higher because  
8 the transportation cost is higher, and they do have  
9 some regional demand surge function. However, the  
10 demand surge factor is based on the total industry loss  
11 regardless where is the -- where is the storm making  
12 landfall.

13 Q. Okay. So, as to the Aon standard model and  
14 it's demand surge function, the -- so, it's your  
15 understanding that Aon is applying the same percentage  
16 of demand surge to a modeled non-landfall hurricane as  
17 it does to a modeled landfall hurricane?

18 A. Are you asking that did Aon apply same demand  
19 surge for landfall hurricane and then non-landfall  
20 hurricane?

21 Q. Yes, ma'am.

22 A. So, you're not asking Aon model, right?  
23 You're asking --

24 Q. No, I totally misspoke. I meant to say the  
25 AIR model, for the AIR model.

1           A.     Okay. It's my understanding -- so, the  
2 demand surge factor is based on the total industry loss  
3 of that event. It doesn't matter if it's make landfall  
4 or not or if it made landfall in North Carolina or  
5 South Carolina. It's the same demand surge function  
6 factor.

7           Q.     Okay. And is that the same for the RMS  
8 historical, it can't -- it applies the same demand  
9 surge percentage, whether it's a landfall or  
10 non-landfall event?

11          A.     That's my understanding, yes.

12          Q.     Would you agree that it's possible that if  
13 there is a landfall hurricane in South Carolina, and it  
14 drifts into North Carolina and causes damage, that the  
15 amount of demand -- actual demand surge in South  
16 Carolina is higher than the demand surge in North  
17 Carolina?

18          A.     That is -- actually, it's -- I disagree with  
19 that because hurricane really has no boundary, and it  
20 cross the state borders, and so as building materials  
21 and the labor. Those materials can be transported  
22 across state borderlines and also laborers will across  
23 the borderlines as well. I think it's just driven by  
24 the total economic impact of the event, and I don't  
25 necessarily see the demand surge factor would be

1 different.

2 Q. Okay. If there were a landfall event in  
3 South Carolina, and it only hit rural coastal areas in  
4 South Carolina --

5 A. Uh-huh.

6 Q. -- and then when it came into North Carolina,  
7 it hit Charlotte, which has a lot of people --

8 A. Uh-huh.

9 Q. -- and a lot of homes --

10 A. Uh-huh.

11 Q. -- more than the regions in South Carolina  
12 that were affected --

13 A. Yeah.

14 Q. -- are you saying that the demand surge in  
15 those rural areas of South Carolina that were affected  
16 is going -- you believe it would be the same as it  
17 would be in Charlotte?

18 A. I believe we are talking about modeling  
19 assumption, and as we all know, those modeling  
20 assumptions are applied to some general situation. For  
21 that specific situation, that is probably one event in  
22 the event catalog. However, there will be other events  
23 that, for example, it may pass over -- it may first hit  
24 in South Carolina, but then generate a much stronger  
25 wind in North Carolina, and then generate a lot more

1 loss in North Carolina than South Carolina. So, what  
2 I'm saying is, so, generally, those different events,  
3 and then when you account these methodology for those  
4 overall, those will be a relatively aggregate measure,  
5 but you cannot really pick one event out of the  
6 category showing, okay, this is wrong, then pick  
7 another one showing this is wrong to justify, to some  
8 different methodology because it's modeling is just  
9 like reinsurance pricing. It's not about to get every  
10 single data point right. It is using a lot of data  
11 points to derive assumptions that is good enough to  
12 apply to certain purposes.

13 Q. Okay. And -- but I'm using one modeled  
14 event.

15 A. Uh-huh.

16 Q. Not the whole many thousands of model events  
17 that you're looking at when you --

18 A. Uh-huh.

19 Q. But if you were to take one modeled event,  
20 and in that modeled event, the hurricane hit South  
21 Carolina in very rural areas --

22 A. Uh-huh.

23 Q. -- then moved into Charlotte.

24 A. Uh-huh.

25 Q. In real world terms, do you believe that the

1 demand surge for materials, for contractors in those  
2 rural areas of South Carolina is going to be the same  
3 as it is in Charlotte where there are many more  
4 contractors, many more materials not having to be  
5 trucked in?

6 A. Again, these are all single related scenarios.  
7 They cannot be used for this purpose because you don't  
8 know what event happened before that event. You also  
9 don't know what event happened after that event. So, I  
10 think for that -- like I said, each individual event,  
11 they may not have a perfect fit, but the modeling  
12 purpose is to get the overall demand surge factor right  
13 for the general usage of the model.

14 Q. Okay. So you don't have a belief about  
15 whether, with regard to a hypothetical -- that one  
16 hypothetical modeled event and that one hypothetical  
17 modeled demand surge, that whether there would be  
18 higher demand surge in the rural areas of South  
19 Carolina than in a large metropolitan center like  
20 Charlotte?

21 A. I don't believe model is supposed to get  
22 every data point right. I believe the modeling purpose  
23 is to get overall results right. Yeah. You will have  
24 observations that justify your -- your argument, but  
25 there will be also handful of events that against your

1 argument, but my point is the overall, if you look at  
2 the whole -- the event catalog holistically, they get  
3 the demand surge factor right.

4 Q. So, to the extent I'm making an argument, are  
5 you saying that it is possible that for that one  
6 modeled event the demand surge in the rural areas of  
7 South Carolina is, in fact, much higher than the demand  
8 surge in Charlotte?

9 A. It's possible for one event it could be  
10 higher or it could also possible there are thousand of  
11 events that generate lower. Again, demand surge factor  
12 is not meant to predict every, every location, every  
13 event right, but the intention is to get the overall  
14 loss reflect the economic impact of the demand surge.

15 Q. Okay. I appreciate that.

16 So, I'm going to go on to very specific  
17 questions about the numbers, and I am valiantly trying  
18 to finish that by noon.

19 A. Okay.

20 MR. FRIEDMAN: Give me one second, Your  
21 Honor.

22 Q. And if you need to refer to your report for  
23 these questions.

24 A. Which book?

25 Q. It is -- sorry, your report, I understand, is



1 at -- it's in Book 1.

2 MR. FRIEDMAN: Mickey, do you happen to  
3 know which -- where in Book 1 her particular report  
4 is?

5 MR. SPIVEY: You're talking about her  
6 prefiled testimony?

7 MR. FRIEDMAN: Yeah.

8 MR. SPIVEY: They start her testimony at  
9 7, and then they are sequential after that.

10 MR. FRIEDMAN: Okay. Thank you.

11 Q. So, going to RB-7, and the exhibits that are  
12 after that, do you recall that you selected an  
13 exhaustion point for your modeled reinsurance program  
14 for the hypothetical company, the hypothetical one of  
15 15.693 billion?

16 A. Excuse me, which page?

17 Q. RB-14.

18 A. Okay. Yes.

19 Q. RB-13. Excuse me.

20 A. Okay.

21 Q. Both of them. I guess, we are going to look  
22 at RB-13 and RB-14.

23 A. Okay.

24 Q. So, looking at -- I see it now -- at RB-14 --

25 MR. FRIEDMAN: Your Honor, that's all I

1 have for Ms. Mao on cross.

2 MS. FUNDERBURK: Okay. So you don't  
3 have any questions related to RB-13 or 14?

4 MR. FRIEDMAN: No.

5 MS. FUNDERBURK: Okay. Thank you, and  
6 you've concluded your cross-examination. All right.

7 Mr. Spivey, redirect?

8 MR. SPIVEY: Yes, Your Honor. There's  
9 going to be redirect, and I am just debating whether  
10 we ought to break for a couple of minutes now or --  
11 take ten minutes now, make sure I've got everything I  
12 need. I'm hoping not to take all that long. We  
13 should be able to finish the day.

14 MS. FUNDERBURK: Thank you.

15 We are in recess for ten minutes.

16 Ms. Mao, as stated before, you continue  
17 to be under oath. Thank you.

18 We are in recess at 11:21. We will  
19 return to court ready to go at 11:31. Thank you,  
20 Counsel.

21 (Recess taken from 11:21 to 11:34 a.m.)

22 MS. FUNDERBURK: Counsel, are we ready  
23 to proceed?

24 MR. SPIVEY: Yes, Your Honor.

25 MS. FUNDERBURK: Back on the record.

1 Ms. Mao, you continue to be under oath.

2 Mr. Spivey, please continue with your  
3 redirect.

4 REDI RECT EXAMI NATION

5 BY MR. SPIVEY:

6 Q. Ms. Mao, Department counsel asked you a  
7 number of questions yesterday about building codes and  
8 whether you had seen data about whether and how  
9 buildings codes were taken into account by the models.  
10 Do you generally recall those questions?

11 A. Yes.

12 Q. Is it your understanding that the North  
13 Carolina building codes and the enforcement of those  
14 building codes are, in fact, taken into account by the  
15 catastrophe models?

16 A. Yes.

17 Q. What about building characteristics, are they  
18 taken into account in the models?

19 A. Yes, building characteristics, primary,  
20 secondary. Primary building characteristics including  
21 things like construction, year built, occupancy, those  
22 are primary building characteristics. And then as well  
23 as secondary such as the roof shape, roof attaching,  
24 foundation type, those are also accounted, both primary  
25 and the secondary.

1 Q. Now, do you know all the specific details or  
2 all the detailed data that reflect how those matters  
3 are taken into account in the models, or are you more  
4 generally knowledgeable about the fact, based on your  
5 years of experience, that they are, in fact, taken into  
6 account in the models?

7 A. Both. I review model documentations and when  
8 they discuss how those characteristics are developed,  
9 as well as I, as a user, I tested model, and I tested  
10 relativities of those characteristics. And so, as part  
11 of my ASOP review, I confirm those characteristics are  
12 reflected by model and that the output are reasonable.

13 Q. It is correct, is it not, that houses built  
14 in different time periods may well be built under  
15 different building codes?

16 A. That's correct, so that's why modeling  
17 vendors typically use year built as a proxy of building  
18 code and building code enforcement.

19 Q. So, to your knowledge, is year of  
20 construction or year built one of the data elements  
21 that is provided in the North Carolina exposure  
22 database that is an input into the models you ran in  
23 this case?

24 A. That's correct.

25 Q. Similarly, are the building characteristics

1 that we spoke of a moment ago, such as -- well, let me  
2 strike that. Let me start again.

3 Do building characteristics include things  
4 like whether a home is a frame built house or a masonry  
5 construction house?

6 A. Yes. Those are included, yeah.

7 Q. So, are those -- are building  
8 characteristics, such as that, also data elements that  
9 are included in the North Carolina exposure database  
10 that was an input in the models that you ran in this  
11 case?

12 A. That's correct.

13 Q. And is it correct, then, that that exposure  
14 database is real, actual data about houses in North  
15 Carolina?

16 A. That's correct.

17 Q. Do you recall Department counsel's questions  
18 about whether North Carolina has adopted all or maybe  
19 portions of the International Building Code?

20 A. Yes, I recall.

21 MR. FRIEDMAN: If I could just clarify,  
22 I was talking specifically about the Residential  
23 Building Code in North Carolina.

24 MS. FUNDERBURK: Mr. Terence, let him  
25 continue his questioning.

1 MR. SPIVEY: And, certainly, we are all  
2 focused on the residential aspects in this case.

3 Q. Do you recall his questions suggesting that  
4 bathroom plumbing or electrical repairs might be  
5 required, following damage, might be required to be  
6 made to a higher standard than was required when the  
7 house was originally built?

8 A. I recall that.

9 Q. If, hypothetically, that were the case,  
10 that -- that damages to a bathroom plumbing or  
11 electrical had occurred and had been repaired to a  
12 higher standard, and then if, hypothetically, the  
13 models did not take that into account, what would the  
14 result be if a hurricane subsequently damaged that  
15 house?

16 A. That would underestimate the loss because the  
17 repair cost for those plumbing will cost more for  
18 insurance company. But if the model doesn't take that  
19 into consideration, it would underestimate the loss.  
20 And, also, I also want to comment, the plumbing and the  
21 wiring itself doesn't impact modeled loss. It's not a  
22 part of the vulnerability function.

23 So, in other words, it's just simply -- it  
24 has more to -- it doesn't take more to damage -- more  
25 to repair. However, on the other hand, those features

1 doesn't necessarily mitigate hurricane loss.

2 Q. All right. Allow me to pose you another  
3 hypothetical. If the models assume that the building  
4 code is more stringent in North Carolina than it  
5 actually is.

6 A. Uh-huh.

7 Q. For example, if the building codes assume,  
8 perhaps, that the Residential International Building  
9 Code is enforced enforce here, but it really is not,  
10 then how would that situation impact whether the models  
11 would be accurate or would understate or would  
12 overstate the losses when a hurricane damages houses  
13 here?

14 A. The model -- in that case, the model would  
15 underestimate the losses because it would assume a  
16 building is stronger than it actually is.

17 Q. Regardless of the hypothetical situations  
18 we've just spoken of, is it correct, based on your  
19 knowledge, your experience, and your expertise, that  
20 the model vendors have experts on the building codes  
21 and that they build into the models any relevant  
22 changes to those building codes?

23 A. It's correct.

24 Q. Do you recall a number of questions over the  
25 past couple days about the Aon model?

1 A. Yes.

2 Q. Ms. Mao, what is the name of the Aon model?

3 A. The name of Aon's in-house catastrophe model  
4 is Impact Forecasting.

5 Q. Was the Impact Forecasting model run for any  
6 direct purpose in connection with this rate review or  
7 this rate filing?

8 A. No.

9 Q. Now, there are other models in addition to  
10 AIR, RMS, and Impact Forecasting; are there not?

11 A. Yes, there are. There are other models such  
12 AquaCAT, now CoreLogic, ARA model, and the Karen Clark  
13 model. Those are additional vendor models.

14 Q. Were any of those other models run for any  
15 direct purpose in connection with this rate review or  
16 rate filing?

17 A. No.

18 Q. So, just to make clear, for the rate review  
19 performed here and the rate filing that resulted from  
20 that, that is what we're here about, you ran the AIR  
21 and RMS models using the North Carolina Exposure  
22 Database; is that correct?

23 A. That's correct.

24 Q. Okay. And the results of that are what are  
25 presented in your testimony and your exhibits, correct?



1 A. That's correct.

2 Q. Did you ever run the Impact Forecasting model  
3 using the North Carolina Exposure Database?

4 A. Not for these rate filings North Carolina  
5 Exposure Database, no.

6 Q. Did you ever run any of the other models that  
7 you just identified using the North Carolina Exposure  
8 Database?

9 A. No.

10 Q. Ms. Mao, do you recall questions regarding  
11 your ASOP 38 attestation?

12 A. Yes.

13 Q. Is it correct that your work with the models  
14 effectively requires that you evaluate each model and  
15 new model versions of those models that are introduced  
16 over time?

17 A. Yes.

18 Q. And when you do those evaluations, you  
19 document your evaluation in accordance with ASOP 38; is  
20 that correct?

21 A. Yes.

22 Q. Did I understand your testimony correctly  
23 that you included North Carolina in your evaluation of  
24 the AIR and RMS models for your ASOP 38 review?

25 A. Yes.

1 Q. Can you please describe the various steps  
2 that you undertake in your evaluation of the models for  
3 the purpose of your ASOP 38 review?

4 A. Sure. I follow ASOP 38's guideline in my  
5 review. The ASOP 38, the first part is the -- related  
6 to scope, the second part are definitions. So,  
7 actuaries work on ASOP 38 typically start from Step 3.2  
8 and 3.3. So, in my review, I included my reviewing  
9 modeling documentation to understand their model  
10 components, and then I reviewed the user input data  
11 that Aon used to run client exposure and identify what  
12 is the specific characteristics need to be included  
13 with each new version. Then, I reviewed the  
14 appropriateness of catastrophe model for the intended  
15 purpose. So, in my review scope, I included average  
16 annual loss and the event loss table. Those are the  
17 output used for the rate filing and the reinsurance  
18 purpose that cover the general usage of Aon's use  
19 model. And, then, I also reviewed the historical  
20 comparison that I just described to Mr. Friedman. And  
21 so, we compare historical loss with modeled loss for  
22 many individual storms, for many individual companies.  
23 And, then, also as part of the model review,  
24 I reviewed other sensitivities of the model  
25 characteristics, such as construction, occupancies, and

1 the secondary modifiers we just discussed. And, then,  
2 in addition to that, I also evaluated the demand surge  
3 functions medium-term, long-term differences of those  
4 aspects. And, in the end, I conclude, based on my  
5 observation, what had -- I opined on whether or not  
6 this model is appropriate for rate filing and the other  
7 usages.

8 MR. FRIEDMAN: Your Honor, could I have  
9 -- I am sorry to interrupt the flow, but I just was  
10 wondering what Ms. Mao is reading from so that I can  
11 be there, too.

12 THE WITNESS: Oh, I'm reading just the  
13 ASOP 38 guideline.

14 MR. FRIEDMAN: Okay. Thank you.

15 MS. FUNDERBURK: Is that ASOP 38 that  
16 you referenced earlier in your testimony, ma'am?

17 THE WITNESS: Yeah, that's ASOP 38  
18 standard, the specific exhibit. I just want to  
19 demonstrate, I strictly follow that guideline.

20 MR. FRIEDMAN: Yes, ma'am.

21 MS. FUNDERBURK: Thank you.

22 Q. Ms. Mao, can you identify which one of the  
23 exhibits by number that you're looking at?

24 A. Yeah, it is Exhibit 12, page 57 through 61.

25 Q. I believe that's Exhibit RB-12.

1 A. Yeah. Yeah, that is our Book 3 exhibits --

2 MS. FUNDERBURK: Is that Book 3 from  
3 DOI?

4 THE WITNESS: Yeah, from DOI.

5 MR. SPIVEY: I'm sorry, I may have just  
6 fouled things up.

7 MS. FUNDERBURK: No, no, no.

8 THE WITNESS: It's also in our own  
9 exhibit. We also --

10 MR. SPIVEY: Your Honor, that exhibit is  
11 also in the Rate Bureau's filing.

12 MS. FUNDERBURK: Oh, it's also in the  
13 Rate Bureau's --

14 MR. SPIVEY: So, I probably cited a  
15 number incorrectly there.

16 MR. FRIEDMAN: Just so long, Your Honor,  
17 she's reading from the ASOP 38.

18 MS. FUNDERBURK: And we can clarify for  
19 purposes of the record because I -- honestly, I had  
20 flipped to the Department's Exhibit 12, 38.

21 MR. SPIVEY: And I agree, obviously it's  
22 going to be the same thing, but in the Rate Bureau's  
23 filing, ASOP 38 is included as Exhibit RB-10.

24 MS. FUNDERBURK: Okay. So, Ms. Mao is  
25 referring to Rate Bureau Exhibit 10.

1 THE WITNESS: Yes, yes.

2 MS. FUNDERBURK: ASOP 38. Thank you,  
3 ma' am.

4 THE WITNESS: Yeah, thank you.

5 Q. All right. Thank you, Ms. Mao.

6 Is it correct, when you do those things that  
7 you've just described, that you do them for each model  
8 separately?

9 A. That's correct.

10 Q. Now, within the various steps you just  
11 described, and as part of that, do you review the  
12 internal modules of each model?

13 A. That is not part of the scope of my review.  
14 Aon's model evaluation scientists review those model,  
15 and I review their results before I start my ASOP 38  
16 because they have the process to validate those models,  
17 review those models, then pass their testing to me for  
18 further review.

19 Q. And now while we're speaking about the  
20 internal modules, do you recall that you were asked  
21 various questions on cross-examination that seemed to  
22 suggest that the model results are or could be  
23 generated separately for the different models or that  
24 the results could somehow be separately attributed to  
25 the different modules? Do you recall those questions?

1 A. Yes.

2 Q. Can, in fact, the model results be generated  
3 separately for those individual modules that are part  
4 of the model?

5 A. It will be impossible because model results  
6 is interactions of all three models.

7 Q. All right. Back to your ASOP 38 attestation.  
8 Is it -- I'm sorry. Is your review of each model  
9 performed independently of any other of the models?

10 A. Yes.

11 Q. Now, in your evaluation of the AIR and RMS  
12 models for the purposes of your ASOP 38 attestation,  
13 did you comply with all of your obligations under any  
14 of the applicable ASOPs?

15 A. Yes.

16 Q. Ms. Mao, is it correct that your ASOP 38  
17 review and the work you performed for this rate review  
18 and rate filing are two completely separate things?

19 A. That's correct.

20 Q. As I recall, your testimony was that you  
21 performed your ASOP 38 work and attestation in late  
22 2021 for the models used in this rate review and rate  
23 filing; is that correct?

24 A. That's correct.

25 Q. And your work on this rate filing was

1 performed in 20- -- in 2023; is that correct?

2 A. Yes.

3 Q. Did you update that attestation specifically  
4 for the work you did for the Rate Bureau that is  
5 presented in this filing?

6 A. No, I didn't. That's because the models are  
7 the same. There is no change in those models since my  
8 attestation.

9 Q. Do you have any obligation under ASOP 38 to  
10 perform a new review and attestation for each separate  
11 project that you undertake?

12 A. No. Our attestation is for the intended use  
13 is for those model for Aon. We don't do separate  
14 attestation for each project.

15 Q. And do you have any obligation under any  
16 other ASOP to perform a new, separate attestation each  
17 time you do a new project?

18 A. No.

19 Q. Do you recall questions from Department  
20 counsel that referred to global warming and climate  
21 change?

22 A. Yes.

23 Q. Do you recall some of his questions suggested  
24 there might be some bias built into the models in  
25 connection with either global warming or climate

1 change?

2 A. Yes.

3 Q. Based on your experience, do model vendors  
4 build into their models any particular bias on matters  
5 of scientific debate or even matters of societal or  
6 political debate?

7 A. No. I don't believe so. Yeah, I just want  
8 to further comment because if you think reinsurance  
9 transactions, that's between insurance companies and  
10 the reinsurance companies, these two parties in the  
11 same transaction have opposite interests, and these two  
12 parties are common users of catastrophe models, and it  
13 make -- so, modeling vendors have no interest to bi --  
14 to create bias that favor one party and damage another  
15 party.

16 Q. So, just to make clear, in a reinsurance  
17 transaction, we have companies that are writing  
18 homeowners' insurance directly for policyholders?

19 A. Correct.

20 Q. And, on the other hand, we have reinsurance  
21 companies that sell reinsurance --

22 A. Yes.

23 Q. -- to those homeowners' providers, correct?

24 A. Yes.

25 Q. So, when those two groups engage to negotiate



1 reinsurance, one is trying to buy it and one is trying  
2 to sell it?

3 A. Correct.

4 Q. Correct?

5 A. Uh-huh.

6 Q. So, they have competing interests?

7 A. Yes.

8 Q. Would there be -- strike that.

9 Is there any reason or incentive for the  
10 model companies to bias the results one way or the  
11 other or in any direction or would they -- is their  
12 goal to get the most accurate results possible?

13 A. Their goal is to get accurate result possible  
14 because modeling community is also competitive. There  
15 are top two companies, but there are also three, four,  
16 second-tier companies, and if the model always bias,  
17 they will lose market share.

18 Q. Ms. Mao, is it also true that there are  
19 entities other than homeowners' companies, homeowners'  
20 insurance companies and the reinsurance companies that  
21 sell them reinsurance, are there other entities that  
22 rely on the models for work that they do?

23 A. Sure. There are catastrophe bond we  
24 discussed, and the catastrophe bond are the investors  
25 for cat bond are those in the capital market. So, some

1 pension fund could be big banks. And so those model is  
2 used commonly for catastrophe bond transactions. So  
3 the insurance company and the capital market are the  
4 two sides of the transaction.

5 And there are also government entities to  
6 evaluate the risk. For example, mortgage lenders and  
7 the big banks, they are also using these models to  
8 estimate their exposure. Yeah.

9 Q. And are there rating agencies that are  
10 involved in the insurance industry in, sort of, a  
11 broad, general way?

12 A. Yes.

13 Q. And do they rely on the models?

14 A. Yes. Yeah, that's an important one because  
15 of each insurance company, they need to obtain a  
16 rating, agency rating. And in each major rating agency  
17 AM Best, Moody's, Fitch, S&P, all their capital  
18 adequacy model for catastrophe components is based on  
19 model output.

20 Q. Do you recall questions from Department  
21 counsel regarding the WSST and the medium-term rate  
22 models, and whether those models were reviewed or are  
23 reviewed by the Florida Hurricane Commission?

24 A. I recall that question.

25 Q. Are those models actually reviewed by the

1        commission in Florida?

2            A.        The warm sea surface temperature and the  
3        medium-term view are not reviewed by Florida. It's  
4        because Florida's review, according to statute, is for  
5        use models to set the hurricane loss cost, and Florida  
6        has no regulation on how models should be used for  
7        reinsurance purpose.

8            Q.        Based on your experience and your expertise  
9        gained by working with all of these models over many  
10       years, do you have an opinion on whether the WSST and  
11       the medium-term rate models provide results that are  
12       reasonable and reliable for the purposes for which they  
13       are intended?

14           A.        Yes.

15           Q.        And what is that opinion?

16           A.        It's for reinsurance purpose, and for these  
17       specific rate filing also for the CAR analysis. So,  
18       generally, those warm sea surface temperature view,  
19       medium-term view are widely used by reinsurance  
20       industry and also in the cat bond transactions.

21           Q.        So, when -- so, when the homeowners'  
22       insurance companies that are writing homeowners'  
23       insurance, and the reinsurers that sell reinsurance,  
24       when those two parties engage with each other in the  
25       reinsurance market for the purpose of buying and

1 selling reinsurance, what models do they use?

2 A. They use the warm sea surface temperature  
3 view and the medium-term view.

4 Q. Would or do AIR and RMS have any reason or  
5 incentive to build any bias into the WSST and  
6 medium-term rate models that are being used by the  
7 buyers and sellers of reinsurance?

8 A. No. Because both sides are their vendors'  
9 important clients.

10 Q. Do you recall questions on cross-examination  
11 about the Beach Plan's reinsurance program?

12 A. Yes.

13 Q. In your opinion, is it appropriate to use the  
14 Beach Plan's reinsurance agreement to determine the  
15 reinsurance structure for the composite single company  
16 in North Carolina that we are setting rates for?

17 A. Using that one company would not be  
18 appropriate. That's because it's only one data point,  
19 and also the Beach Plan's concentration is on the  
20 coastal area and it's not representative of the one  
21 composite aggregate company that North Carolina Rate  
22 Bureau is pricing for.

23 And another reason it's not appropriate is  
24 Beach Plan has -- Beach Plan's founding structure is  
25 heavily relied on the unlimited assessment capability.

1 So, Beach Plan's reinsurance attach higher and they  
2 exhaust lower because they have the assessment layer  
3 down below and on top. So, for this reason, Beach Plan  
4 has -- Beach Plan doesn't have to be rated by AM Best,  
5 while the regular companies, they have to get the  
6 rating. So, Beach Plan buy less reinsurance than the  
7 typical companies that North Carolina Rate Bureau is  
8 trying to reflect in this filing.

9 Q. Do you recall questions from Department  
10 counsel, I believe it was yesterday, I'm sorry, maybe  
11 this was -- well, I'm not sure which day it was.

12 Do you recall questions from Department  
13 counsel about whether a Cat 4 storm had ever hit North  
14 Carolina?

15 A. I recall about our discussion around  
16 Hurricane Hazel.

17 Q. And do I recall correctly that you testified  
18 that Hurricane Hazel hit North Carolina in 1954 as a  
19 Category 4 hurricane?

20 A. That's correct.

21 Q. And is that, in fact, your understanding,  
22 that Hurricane Hazel hit North Carolina, and it was a  
23 Category 4 hurricane?

24 A. That's correct. The Hurricane Hazel generate  
25 Category 4 wind speed in North Carolina and that

1 information is published on the NOAA's website. And as  
2 I also discussed, when we evaluate hurricane's  
3 damageability, the wind field is the most important  
4 parameter we evaluate instead of where the eye first  
5 hit the land. But, you know, reality, eye is the  
6 calmest part of the hurricane system, that's why NOAA  
7 scientists they fly a helicopter into the eye to  
8 collect information. So, yeah, what matters is not  
9 that eye, what matters is the most damaging wind field.

10 Q. All right. So, do you know, today, whether  
11 HURDAT classifies Hurricane Hazel as a storm that made  
12 landfall in North Carolina?

13 A. Yes. Oh, sorry, no. I -- as I -- I don't  
14 have the exact definition on how HURDAT called it.  
15 However, I look at NOAA's website. It is hurricane Cat  
16 4 impacting both South Carolina and North Carolina.

17 Q. Ms. Mao, earlier today now, you were asked  
18 questions about policy premium and reinsurance premium,  
19 and I'm not entirely sure how clear things were there.  
20 Can you explain what is -- what you understand we are  
21 talking about when we talk about what the policy  
22 premium is for homeowners' policy?

23 A. Policy premium is what policyholder is paying  
24 for his homeowner policy.

25 Q. All right. What is reinsurance premium when

1 we use that term?

2 A. Yeah, reinsurance is how much an insurance  
3 company pay to reinsurance company to purchase  
4 reinsurance, and the reinsurance is cost for insurance  
5 company. And in the rate filing, it's -- it is part of  
6 the fixed expense built into the rate, along with other  
7 expenses.

8 Q. In connection with those same questions,  
9 there was some discussion about CTR premium. Is CTR  
10 premium part of the policy premium?

11 A. It's part of the policy premium, but it's not  
12 used to calculate reinsurance premium.

13 Q. Is any part of the policy premium used in  
14 calculating reinsurance premium?

15 A. No. None of the part of policy premium is  
16 used to calculate reinsurance premium. Reinsurance  
17 premium is driven by loss. Reinsurance -- expected  
18 reinsurance loss.

19 MR. SPIVEY: May I have just a moment?

20 Q. Ms. Mao, again, earlier today you were asked  
21 questions about testing of historical data in the Aon  
22 process. Do you recall some of those questions this  
23 morning?

24 A. Yes.

25 Q. Can you describe, again, what you did and

1 what is the purpose of what you were doing there?

2 A. Yeah. The purpose of Aon's testing is to  
3 compare how model perform for those individual  
4 historical storms and for individual Aon's clients.  
5 So, what we did is we collected a lot of clients'  
6 portfolio, vintage portfolio at the time of the event.  
7 Then every time we receive a new model, we will run the  
8 model on those old exposure, and then compare modeled  
9 loss with the actual claimed dollars for that specific  
10 storm. So, this is the way we evaluate how model  
11 perform, individual policies -- on individual company's  
12 book. And, then, we also aggregate that result to see  
13 overall how model performed for group of clients.

14 Q. Is the process you just described something  
15 that is -- strike that. Let me try again.

16 When you are performing that kind of  
17 comparison, is that different from, say, comparing the  
18 modeled losses to the historical losses that we have in  
19 recorded history for hurricanes?

20 A. Yeah. Those are different comparison because  
21 our comparison is based on individual storm, not  
22 looking at average annual loss perspective. The  
23 methods you described is compare model average annual  
24 loss with the state's average annual loss for a  
25 specific year, and that is not a good comparison.



1 Because we know hurricane is very volatile, you could  
2 have many years without hurricane, then you have one  
3 big year. So, due to these volatility, that is exactly  
4 the reason model is used to determine average annual  
5 loss. It's simply because 20-year, even 50-year is too  
6 short, and because we really need a hundred, if not  
7 thousand years to do that type of comparison.

8 Q. Ms. Mao, do you have -- I believe it's  
9 Book 3, yeah, Book 3 still there before you somewhere?

10 A. Yes.

11 Q. Could you turn to Tab 14 in that book.

12 A. Okay. Yes.

13 Q. And you previously testified that this is a  
14 copy of an Aon report that was issued late in 2023,  
15 correct?

16 A. Yes.

17 Q. Would you turn to page 16 in that report?

18 A. Yes.

19 Q. And simply in looking at the diagram there of  
20 the United States with the individual states all  
21 outlined and in various colors.

22 A. Uh-huh.

23 Q. Am I reading it correctly that the color  
24 coding is broken into just five -- essentially five  
25 different colors?

1 A. That's correct.

2 MR. FRIEDMAN: Could I get a little  
3 clarification, Your Honor? There are two diagrams on  
4 page 16, both of which have color codes. I'm not  
5 clear what Mr. Spivey is referring to.

6 MR. SPIVEY: You're correct. Let me  
7 make sure we are clear.

8 Q. I am referring to the second diagram on that  
9 page that is immediately below the label "Exhibit 20."

10 A. Yes.

11 Q. All right. And, again, so there is five  
12 different color codings, correct?

13 A. Yes.

14 Q. And looking at that and following up on the  
15 questions from Department counsel, the darkest color  
16 indicates the need for -- the greatest rate need to  
17 achieve a certain percentage ROE, correct?

18 A. Correct.

19 Q. And North Carolina is one of the states that  
20 is colored in that darkest color, correct?

21 A. That's correct.

22 Q. How many states in total are in the group  
23 with that darkest color, if you can discern the  
24 differences from this diagram?

25 A. Yeah, if I see from this diagram, there are

1 four states are in that category including North  
2 Carolina, Louisiana, Mississippi, and -- is that Iowa?

3 MR. BEVERLY: Yes.

4 THE WITNESS: Yes.

5 MR. SPIVEY: Thank you.

6 Q. So, of the 50 states in the United States,  
7 all of which are shown on this diagram, North Carolina  
8 is among the four with the greatest rate need to  
9 achieve a given percentage ROE; is that correct?

10 A. That's correct.

11 Q. And that dark color that it's the darkest  
12 blue, I believe, is this a band of 15 or above,  
13 correct?

14 A. That's correct.

15 Q. It doesn't -- it's not saying the rate needed  
16 here is 15, is it?

17 A. It's not saying it's 15, it could be much  
18 larger than 15.

19 Q. If you would turn to page 11 in that same  
20 document.

21 A. Yes.

22 Q. Can you describe what the diagram of the  
23 United States shown on that page, under the heading  
24 "Exhibit 6," what is that map or diagram showing?

25 A. That diagram show for the single state writer

1 what is their prospective return on equity for each  
2 individual state.

3 Q. And is this particular diagram showing that  
4 for single state, monoline specialist carriers?

5 A. Yes.

6 Q. And what is it showing for prospective ROE  
7 for North Carolina on that diagram?

8 A. It's showing negative one or less. It's  
9 showing the capital providers are not making return in  
10 North Carolina.

11 Q. And, again, these numbers are the prospective  
12 ROE at current rates, correct?

13 A. That's correct.

14 Q. All right. If you would turn back a page to  
15 page 10.

16 A. Yes.

17 Q. And can you describe -- well, first of all,  
18 let me just point you to, it appears there is a diagram  
19 on that page of the United States again that is color  
20 coded under a heading "Exhibit 5." Do you see that?

21 A. Yes.

22 Q. Can you describe what this diagram is  
23 showing?

24 A. So, this diagram is showing national  
25 carriers, their prospective ROE at current -- at

1 current rate as of November 2023.

2 Q. And what does this diagram show for the state  
3 of North Carolina?

4 A. It shows the same conclusion as a monoline  
5 specialist. North Carolina's return on equity is  
6 negative one or less for national carriers, and that  
7 there are four states are in this category for national  
8 carriers.

9 MR. SPIVEY: Your Honor, may I have just  
10 a moment?

11 MS. FUNDERBURK: Yes.

12 MR. SPIVEY: Your Honor, that concludes  
13 my redirect questions for Ms. Mao.

14 MS. FUNDERBURK: Thank you, Counsel.

15 Mr. Friedman, do you have recross?

16 MR. FRIEDMAN: I do, Your Honor. And I  
17 guess I'm concerned about the scheduling today.  
18 There were not only many whole new concepts  
19 introduced on that direct, such as dealing with  
20 banks, mortgage lenders, rating bureaus, something --  
21 stuff I didn't touch on, and -- but also pointing to  
22 whole new pages in the -- certain exhibits that I  
23 didn't ask about, and that's all fine. But my  
24 concern is that in going -- I do need to recross her  
25 about those, and I don't know how Your Honor wants to

1 handle the timing.

2 MS. FUNDERBURK: My main concern is I  
3 understand that some of your witnesses, Mr. Spivey,  
4 have travel arrangements; is that correct?

5 MR. SPIVEY: I'm sure they do, Your  
6 Honor. I don't know exactly what they are, but we  
7 are certainly quite hopeful that we could conclude  
8 this witness today so that she is not required to be  
9 back to testify in -- later in the --

10 MS. FUNDERBURK: And my preference would  
11 be to conclude with her testimony today. Do you want  
12 to address any travel issues with your folks? I  
13 don't want anybody to miss flights.

14 MR. SPIVEY: We can certainly check.

15 MS. FUNDERBURK: Okay. Please do that.  
16 We are going to go briefly off the record but,  
17 literally, just take a minute to do that.

18 MR. FRIEDMAN: Your Honor, could I also  
19 ask, though, there were ten minutes or so to prepare  
20 the redirect, if I could have ten minutes to prepare  
21 my recross?

22 MR. SPIVEY: No objection.

23 MS. FUNDERBURK: We will take a  
24 ten-minute recess for you to address that, and I will  
25 remind counsel, recross is limited to redirect,

1 redirect is limited to cross.

2 MR. FRIEDMAN: Yes, ma'am.

3 MS. FUNDERBURK: We are in recess for  
4 ten minutes. Be back in ten minutes sharp, so we can  
5 proceed. Thank you.

6 MR. FRIEDMAN: Thank you.

7 (Recess from 12:19 to 12:27 p.m.)

8 MS. FUNDERBURK: Counsel, are we ready  
9 to proceed?

10 MR. FRIEDMAN: I am, Your Honor.

11 MS. FUNDERBURK: Okay. And, first,  
12 Mr. Spivey.

13 MR. SPIVEY: We are ready, yes, please.  
14 Yes, ma'am.

15 MS. FUNDERBURK: Thank you.

16 Mr. Friedman, please continue -- or  
17 please begin your recross.

18 MR. FRIEDMAN: Yes, ma'am.

19 RECROSS EXAMINATION

20 BY MR. FRIEDMAN:

21 Q. Ms. Mao, yesterday you said that you had seen  
22 in AIR and RMS materials stating that their models were  
23 updated to reflect the ICC; is that correct?

24 A. They -- their models updated to reflect  
25 building code. It could be ICC, it could be IBHS

1 building code. Yeah, so they use different procedure,  
2 yeah.

3 Q. Putting aside what you assume AIR and RMS are  
4 doing, have you ever seen anything in any of their  
5 documentation getting more specific and specifically  
6 saying, we have updated our models or taken into  
7 consideration when we design our models, specifically  
8 the North Carolina Residential Code?

9 A. In AIR I see that line. AIR has a table  
10 maintaining with each state what is their ICC year and  
11 the building code year, yeah. I see that in AIR.

12 For RMS, I don't recall reading the specific  
13 line on that, but I know RMS is a member of IBHS, and  
14 they utilize research to enhance their model, that part  
15 I am -- I know.

16 Q. Okay. So, you know that because they are a  
17 member of IBHS and necessarily they must be looking  
18 specifically at the North Carolina Building Residential  
19 Code?

20 A. So, it's -- it is, in general, they have  
21 engineering employees to keep track of the national --  
22 the building code on the nationwide basis.

23 Q. Okay. So, as to the AIR, are you describing  
24 a category in the AIR materials that shows state by  
25 state whether it has adopted the ICC?



1 A. It shows what the model is reflecting for  
2 each state based on the ICC. Yes.

3 Q. Okay. So, it doesn't show specifically in  
4 North Carolina's Residential Code what the residential  
5 code has adopted or not adopted or customized from the  
6 ICC?

7 A. It doesn't show that, and I -- again, I trust  
8 vendors' engineers because I don't have the expertise  
9 to evaluate that information. I don't have the  
10 expertise to follow that information. I have to rely  
11 on experts in that field.

12 Q. Okay. So, because Aon [sic] and RMS employ  
13 engineers, you have to trust that they've looked at the  
14 North Carolina Residential Code?

15 A. I have to trust the experts to do their work  
16 because I am not engineer expert.

17 Q. And I guess I would ask the same thing about  
18 the -- you had a term for it? I'm forgetting right  
19 now. But, basically, how North Carolina homes are  
20 constructed including by region.

21 A. That is also engineer's area of expertise.

22 Q. Have you ever seen anything in any of AIR or  
23 RMS's materials saying specifically that they have  
24 evaluated how homes in North Carolina are constructed?

25 A. I see AIR's documentation showing specific

1 storm, how it impact certain counties due to their  
2 building code, yes, those are the information I  
3 provided to you in the first documentation request.

4 Q. What is ICAR?

5 A. It's AIR.

6 Q. Oh, AIR. Excuse me.

7 A. AIR hurricane model for the U.S., and in that  
8 documentation they discuss couple storms and how they  
9 evaluate based on two North Carolina counties' building  
10 code.

11 Q. Are those based on actual losses from those  
12 storms?

13 A. Yes, yes, yes. Those --

14 Q. Then --

15 A. Go ahead.

16 Q. And what two storms were those?

17 A. Hmm?

18 Q. What two storms?

19 A. I don't remember. Yeah, I have to get back  
20 to you, but you can also feel free to flip to those  
21 pages. I don't recall the storm name.

22 Q. Would you agree that those, whether they are  
23 showing actual losses to homes that, in some way,  
24 reflect what those homes are built, how they are built,  
25 they would be showing specifically the manner of build

1 for those homes from the regions that were hit by the  
2 hurricane?

3 A. Can you repeat your question?

4 Q. Yes, ma'am. You said that AIR shows some  
5 information -- actual information about the losses to  
6 North Carolina homes from two storms.

7 A. They are showing the loss to North Carolina  
8 including they have more detailed discussion on two  
9 counties, but they are showing the whole North Carolina  
10 wind field map.

11 Q. And so, they are -- the whole North Carolina  
12 wind field map reflects the manner of construction of  
13 homes across all North Carolina?

14 A. The North Carolina wind field map from that  
15 specific historical storm and, then, from there, they  
16 will apply their understanding of the vulnerability  
17 applies -- vulnerability based on the wind field to  
18 calculate damage.

19 Q. Okay. Thank you for reminding me of the term  
20 "vulnerability."

21 Does it show the calculations of  
22 vulnerability only in the parts of North Carolina that  
23 sustained hurricane damage from that specific  
24 hurricane?

25 A. That part they didn't include in their

1 report. But I also want to mention the vulnerability  
2 function, there is a wind, even the wind speed below  
3 the hurricane strength, it also will also cause damage,  
4 and that is also reflected in the model. So, hurricane  
5 below hurricane -- wind speed below hurricane strength.  
6 So, when the wind passing inland, the wind speed will  
7 reduce. Even though it's reduced, but it's still has  
8 damageability. So, it's not just a hurricane strength  
9 wind, it is the whole wind field.

10 Q. Right. But the losses that they have  
11 reported that -- as they relate to damageability or  
12 durability in North Carolina for those two storms,  
13 aren't going to -- there aren't going to be losses if  
14 there weren't for certain regions if those regions were  
15 not hit by the storm, right?

16 A. I think the definition of hurricane is once  
17 it reach the hurricane strength and even though  
18 subsequently if the hurricane reduced, so, for example,  
19 if a hurricane make landfall in another state as it's  
20 passing to North Carolina, and it become reduced speed  
21 below, it is still a hurricane in the model.

22 Q. So, are you telling me that AIR's models make  
23 assumptions about the durability of homes in North  
24 Carolina regardless of whether those homes were  
25 actually affected by a hurricane?

1           A.     That is a definition of the hurricane --  
2 National Hurricane Center's definition of hurricane is  
3 based on the wind speed at certain point. It doesn't  
4 reduce -- it doesn't declare it's not a hurricane only  
5 because it's not a hurricane on North Carolina.

6           Q.     Okay. So --

7           A.     It is hurricane in the system, then it's  
8 treated as a hurricane by model.

9           Q.     Okay. And I guess I am a little confused  
10 here on the difference between whatever data there is  
11 about the wind speed and how that -- how you can look  
12 at that and discern its evaluation of the durability of  
13 homes across North Carolina.

14          A.     I don't think I understand your question.

15          Q.     So, I'd asked you the question whether you  
16 knew that AIR had -- and when it's evaluating the  
17 losses from these two hurricanes you said are in their  
18 data, whether their losses were based on an evaluation  
19 of durability in -- across all of North Carolina or  
20 only in those particular regions where they suffered  
21 losses?

22          A.     I think you're getting to -- I still don't  
23 think I understand your question, but what I am telling  
24 you is a hurricane is a hurricane. If it started  
25 somewhere as a hurricane, once it passed through North

1 Carolina, even though in North Carolina it doesn't have  
2 any hurricane strength, but it's -- there is still  
3 damaging wind, and it will be reflected in model's  
4 calculation. The hurricane's wind speed is 74 mile per  
5 hour. So, if the -- even if a storm that in the whole  
6 North Carolina with a maximum wind speed as 70 but  
7 because it is a hurricane in another part of the  
8 country, then it is treated as a hurricane by the  
9 model.

10 Q. I understand that. I'm meaning to get  
11 specifically as to the durability or the engineering  
12 module.

13 A. Yes.

14 Q. And how it reflects -- how their losses  
15 reflect the durability of any homes in North Carolina  
16 and how that affects the losses. Would you agree that  
17 the durability part of the engineering --

18 A. Uh-huh.

19 Q. -- component or module is going to affect  
20 the --

21 A. Yes.

22 Q. Okay. So, my question is, for the AIR  
23 models, have you seen anything from AIR that  
24 specifically said, our estimation of the durability of  
25 North Carolina homes -- first of all, have you seen

1 anything that says this is an estimation -- this is our  
2 engineering assumption about the durability of North  
3 Carolina homes?

4 A. That part of the information model would not  
5 release to their client. So, those are the information  
6 retained by model inventors, and I trust model  
7 inventors. They have a team of engineers, and they are  
8 doing exactly that type of work, cover the whole  
9 country of the United States.

10 Q. Okay. So, they haven't released that  
11 information to you either as a user of the model?

12 A. They don't release individual vulnerability  
13 function for a specific county in the region. No, they  
14 don't do that.

15 Q. Okay.

16 A. And our evaluation of the model is really the  
17 model output that reflect the hazard and the  
18 vulnerability. We look at if that result is -- overall  
19 if that is reasonable.

20 Q. So, Mr. Spivey talked to you about whether or  
21 not you had used CoreLogic or Aon's hurricane model in  
22 the results you have -- whether those are reflected in  
23 the results that you have produced?

24 A. They -- he asked that question. My answer is  
25 no. Only AIR and RMS models are used for North

1 Carolina Rate Bureau filing because it is the scope of  
2 our contract is for Aon to run AIR and the RMS model  
3 for the Bureau. No other model is covered by our scope  
4 of service.

5 Q. But AIR did run both the CoreLogic, and its  
6 own hurricane model for validation purposes of the AIR  
7 and RMS results, correct?

8 A. No.

9 THE WITNESS: Go ahead.

10 MR. SPIVEY: Objection. Can you be  
11 specific about "the AIR results"? What results are  
12 you talking about?

13 Q. So, yesterday you testified that you  
14 validated whether AIR's results were credible or  
15 actuarially reasonable.

16 A. I validated AIR result for the -- yeah,  
17 whether or not it's reasonable, and I also talked about  
18 our validation for each vendor model is independent.  
19 And the only reason I compare them is because ASOP,  
20 there is one item require me to compare one model with  
21 other models.

22 Q. Okay.

23 A. This is the only purpose I did it in 2021,  
24 well before this project started.

25 Q. Okay. So, your comparison -- in order to



1 determine whether it was actuarially re- -- whether  
2 these were good models, AIR and RMS --

3 A. Uh-huh.

4 Q. -- in 2021 --

5 A. Uh-huh.

6 Q. -- you've compared them to Aon's model  
7 results; is that correct?

8 A. Compared them to Aon's Florida model results.  
9 Florida only.

10 Q. Right. That's one point I wanted make, okay.  
11 And for -- what about CoreLogic, where is it -- does it  
12 have North Carolina centric data?

13 A. CoreLogic is a countrywide model, and it's  
14 not selected by the Rate Bureau. So, it's not used for  
15 this rate filing analysis. And, however, Aon licensed  
16 CoreLogic model, so we also independently validate  
17 CoreLogic model.

18 Q. And did you validate, in 2021, the AIR and  
19 RMS models or compare the CoreLogic results to the AIR  
20 and RMS models?

21 A. In my ASOP you probably see a loss cost  
22 comparison that is just to comply with the ASOP  
23 requirement. Has nothing to do with Rate Bureau  
24 filing.

25 Q. I understand that. And where -- you said

1 CoreLogic uses national data -- or I may have  
2 misunderstood you. Where -- does CoreLogic use North  
3 Carolina centric data?

4 A. All vendors use the same underlying data that  
5 includes historical storms, the United States building  
6 code, and by different regions, and, yeah, they all  
7 started from the same information. They use similar  
8 informations to develop their models.

9 Q. So, Aon uses, though, Florida data, it did in  
10 2021?

11 A. So, again, you misinterpret. I validate the  
12 model because only Florida model -- Aon Florida model  
13 was available at the time, and I -- we don't have -- we  
14 haven't evaluate other part of the Aon's hurricane  
15 model. And it doesn't mean we used Florida data to  
16 develop other hurricane model, that's not true.

17 Q. Okay. And, then, as far as the CoreLogic  
18 model that you used to validate AIR and RMS in 2021 --

19 A. I didn't use CoreLogic model to validate AIR  
20 and RMS. Each validation is independently conducted.

21 Q. So, did -- okay. Separately, then?

22 A. Separately. Independent --

23 Q. Did you use CoreLogic to evaluate --

24 A. No.

25 Q. Are there CoreLogic results that show up in

1 the data you've given us?

2 A. It's just for one purpose for comparison, but  
3 we validate each model independently. We validate AIR  
4 independently, then RMS independently, then CoreLogic  
5 independently, then Aon's Florida model independently.  
6 And in our validations, there is a part that the ASOP  
7 require me to just show different result from different  
8 models, that is a part. I pull information from each  
9 validation, and put together exhibit to complete ASOP  
10 38 validation.

11 Q. So, when you completed -- your ASOP 38  
12 reflects your consideration of whether the AIR models  
13 you used produce actuarially trustworthy data?

14 A. Correct.

15 Q. It also includes your evaluation about  
16 whether the RMS models you used -- no, not -- only AIR?

17 A. No. Because you probably notice, I have an  
18 attestation for AIR model. I have a different  
19 attestation for RMS model. My AIR attestation only  
20 says AIR is good to use, and my RMS model attestation  
21 is saying only RMS is good to use, and I didn't submit  
22 the CoreLogic attestation, didn't submit Aon's model  
23 attestation. That means I don't -- we don't use that  
24 for these purpose. They are not relevant to this  
25 filing.

1           And even though I show different comparison  
2 in one report, for AIR documentation, that AIR  
3 documentation what I intended is to say, I did these  
4 tests. I say AIR is good to use. But as a fact the  
5 other model, like CoreLogic, is also on that report, it  
6 doesn't mean CoreLogic doesn't have any implication if  
7 CoreLogic is good enough. It's possible that, in that  
8 year, I certified AIR and RMS good for use but not  
9 CoreLogic.

10         Q.     I certainly understand that. So, my question  
11 is, though, for your ASOP certification that -- where  
12 you certified AIR is good for use --

13         A.     Yes.

14         Q.     -- as part of making that determination, did  
15 you compare AIR's results to the CoreLogic results?

16         A.     No. I look at AIR result only because I look  
17 at the reasonability of the AIR result. I look at the  
18 relatively of the AIR result to determine the  
19 reasonability. I don't use CoreLogic to determine if  
20 AIR is reasonable to use or not.

21         Q.     Okay. So, what did you use to determine  
22 whether AIR is reasonable or not?

23         A.     It is follow the ASOP 38. We compare  
24 historical result for AIR model, and then we look at  
25 the user output. The output of the model, the output

1 include a lot of sensitivity testings you see on my  
2 ASOP documentation, that includes construction,  
3 occupancy, year built, and those characteristics, does  
4 the output make logical sense. So, these are the base  
5 for my determination if AIR model is good enough for  
6 rate filing or not.

7 Q. Okay. So, you're saying that you -- in  
8 evaluating whether the AIR model is good enough, you  
9 looked at some actual hurricane results?

10 A. Yes, I -- we discussed -- we -- I discuss we  
11 look at our client's data for those historical storms,  
12 and we compared for individual client, we compared to  
13 aggregate results, and we compared -- we run several  
14 hundred model comparison just for that purpose.

15 Q. Okay. And how far back in terms of the  
16 hurricane -- actual hurricane data did you go?

17 A. As far as we can get exposure from our  
18 clients.

19 Q. I think you had said yesterday AIR was going  
20 back to, was it, 2001?

21 A. I don't recall the exact number, but the most  
22 storm in recent history happened in 2004 or 2005, then,  
23 you know, 2018, 2017, and yeah, I think whatever,  
24 wherever we get the exposure data, we do the testing.

25 Q. Okay. So, you talked about looking at actual

1 data from -- and comparing that to AIR to certify that  
2 AIR was trustworthy. And you --

3 A. Not to certify. Just to evaluate the  
4 reasonableness of AIR model.

5 Q. Okay. And, then, you also said that you  
6 looked at other AIR results to determine whether they  
7 were actuarially credible?

8 A. What do you mean "other AIR results"?

9 Q. Whether they were good?

10 A. What other results do you mean?

11 Q. I don't know. You said that you looked,  
12 first of all, at historical hurricane data.

13 A. Yeah.

14 Q. And then you said we also looked at some of  
15 the number -- the other numbers put out by AIR.

16 A. Okay. So, now, I -- putting to that context  
17 the other information I mean is the relativity, such as  
18 the building characteristics, construction, year built,  
19 occupancy, and other characteristics such as shutter,  
20 the roof shape, and the foundation, those type of  
21 information we do sensitivity testing.

22 Q. Okay. And in the sensitivity testing --

23 A. Uh-huh.

24 Q. -- what data are you using to test against  
25 AIR's results?

1           A.     We design a notional portfolio. That is, we  
2 use those notional portfolio with certain construction,  
3 certain policy limit, the same deductible. So, the  
4 reason we do this notional portfolio is to measure only  
5 the hazard, so we want to remove the exposure  
6 concentration from the test, so that way we can just  
7 test the hazard. And in our notional portfolio we put  
8 notional exposure in every coastal state that include  
9 four points in North Carolina, so, two points on the  
10 coast, two points inland.

11                 So, for it's relativity testing, we want to  
12 test if the, geographically, if the model output makes  
13 sense. Does the coastal have higher relative -- higher  
14 loss costs than the inland, and it does. So, these are  
15 the things -- what common -- what is you would expect,  
16 what you see out of the model. I conduct a series of  
17 the sensitivity testing to -- these are the other  
18 things I am talking about.

19           Q.     Okay. And for that notional portfolio that  
20 Aon has, and those two points, is that based on actual  
21 data as to the sensitivities from those two points in  
22 North Carolina?

23           A.     As I said, notional is not actual. That's  
24 why we call it notional.

25           Q.     Okay. Thank you.

1                   And is that the same process for RMS that you  
2 go through --

3           A.     Yes.

4           Q.     -- Looking at a notional portfolio that Aon  
5 has --

6           A.     Yes.

7           Q.     -- for RMS and then looking at actual  
8 hurricane --

9           A.     Yes.

10          Q.     Okay.

11          A.     Yeah. Notional portfolio is widely used by  
12 insurance actuaries, and many companies develop their  
13 rating plan relationships based on notional portfolios  
14 because -- that is because in your actual portfolio,  
15 you may not have exposure in everywhere in the country,  
16 but by doing the notional testing, you can put the --  
17 those portfolio in the place you want to measure, so  
18 that way you have the measure for every place you  
19 want --

20          Q.     Okay.

21          A.     -- for your rating plan.

22          Q.     And what -- could you just, for the notional  
23 portfolio, what things are you measuring other than the  
24 actual hurricane -- obviously the actual hurricane  
25 loss. You've looked at actual hurricane data. What



1    sensi ti vi ti es, I think the term is, are you measuring  
2    in the notional portfolio?

3           A.    Yeah, I think already discussed that. I  
4    measure a series of relative ti es, including  
5    construction, occupancy, year built, and for some  
6    models they also have square footage, number of  
7    stories. And, in addition, we know hurricane has the  
8    secondary modi fi ers also make di fference. So, we  
9    tested roof shape, roof attaching, those type of --  
10   yeah, the lengths of the nail, those type of variables.  
11   Those are all part of our testing and also shutter,  
12   whether or not you have engineering shutter or no  
13   shutter at all.

14           Q.    Okay. Now, Mr. Spivey asked you some  
15   questions about whether if, in fact, the North Carolina  
16   Residential Code is stricter than the ICC, then that  
17   would mean the models were underestimating?

18           A.    What I'm saying is the stronger your  
19   building, the lower the loss. So, if your portfolio  
20   reflect a stronger building code than it actually is,  
21   then the model would estimate, but it's also vice  
22   versa. So, yeah, both way will work.

23           Q.    Okay. So, then, it's the vice versa there?

24           A.    Yes. Vice versa is true.

25           Q.    If, in fact, the North Carolina Residential

1 Code did not impose anything -- imposed far fewer  
2 requirements than the ICC, would that mean that there  
3 are fewer things that the insurers are having to pay to  
4 repair that home?

5 A. That is -- that is a claims question. So,  
6 it's based on what is required by law, what insurers  
7 need to pay, and what is cost to build to code. I  
8 think it's a case-by-case situation.

9 Q. So, you testified for Mr. Spivey that if the  
10 North Carolina codes were stricter, then, in fact, you  
11 would have been -- the insurers would have been having  
12 to pay more; is that correct?

13 A. If the -- if it cost the insurer more to  
14 build to code, yes, it will, yeah.

15 Q. And what if the North Carolina Residential  
16 Code were, in fact, less stringent than the ICC, would  
17 that mean that, in fact, the Bureau had overestimated  
18 the cost of repair?

19 A. Yes, this is one side of story that, yeah,  
20 it's possible if the building code is less stringent  
21 than the ICC, then the Bureau could -- yeah, the model  
22 would overestimate the loss. But on the other hand --  
23 yeah, sorry, that's your -- yeah.

24 Q. Okay. Thank you.

25 A. But on the other hand, it's just from the

1 damage part, it is probably also costs less for --

2 Q. For the insurers because they don't have to  
3 build it up --

4 A. Correct, yeah, yep.

5 Q. Okay. Thank you.

6 You talked about -- you -- I think you talked  
7 about you assuming that AIR and RMS as -- I don't know,  
8 were you talking about their bias? Were you talking  
9 about the meteorological bias or the engineering -- any  
10 possible engineering bias or meteorological bias or  
11 financial bias?

12 A. So, I talk about I don't test those  
13 individual com- -- sorry. I don't test those  
14 individual components as part of my testing, but Aon's  
15 model evaluation team, they tested those components.  
16 And the scope of my testing is output of the model,  
17 that is a combination of those modules.

18 Q. And is it your impression that, based on  
19 Aon's testing of the -- of any bias in the engineering,  
20 financial, or meteorological assumptions of, I guess,  
21 both AIR and RMS, is it your understanding that they  
22 have taken into account the possibility of bias in  
23 those three modules of AIR and RMS?

24 A. In my testing, I focus on the outcome. I  
25 don't identify any -- whether or not each model is

1 bias -- bias or not.

2 Q. And do you know the results of Aon's testing  
3 of all three of those models for those AIR and RMS  
4 models?

5 A. Once those -- no, I am not aware they have  
6 concluded any of the models' bias or not, no.

7 Q. So why do you believe that the AIR and RMS  
8 models don't include some bias in any of the three  
9 modules?

10 A. I don't have -- I don't have reason to  
11 believe they have bias, but I have to see it, and I  
12 have to rely on other experts' testing because I --  
13 this is not my part to test each module if they are  
14 biased or not.

15 Q. So, you really have no opinion about whether  
16 AIR and RMS include any bias in any of the three  
17 modules of their models?

18 A. My opinion is they don't have incentive to  
19 include any bias in their model. And Aon's model  
20 evaluation tested and showed their results are  
21 reasonable.

22 Q. Okay. So, let's go into that question of  
23 incentive. I believe you testified to Mr. Spivey that  
24 one reason you think they would have no incentive is  
25 to -- to bias any of those three modules is because

1 both reinsurers and primary insurance companies rely on  
2 the models?

3 A. This is one of the reasons, and also people  
4 develop the models are scientists. Scientists are  
5 bound by their code of conduct, and I trust that  
6 they -- it's their interest to get the model right.

7 Q. Okay. So, those are two things, reasons why  
8 you think there wouldn't be any bias. The first thing  
9 as to -- you're saying that there is a difference of  
10 interest between reinsurers and insurance companies?

11 A. I think this is just a common when people on  
12 both sides of the transaction, one is a buyer, one is a  
13 seller, it's natural that they don't have the common  
14 interest. Because if you're thinking about you want to  
15 buy something, it's your interest to buy at the lower  
16 price, and it's the seller's interest to sell it at the  
17 higher price.

18 Q. Okay. Do you know what the Commissioner  
19 concluded in the 2014 order about whether there was, in  
20 fact -- there were, in fact, dueling interests between  
21 reinsurers and primary insurers?

22 A. I -- yeah, for that legal opinion, I need to  
23 rely on my -- on Bureau's counsel to advise me.

24 Q. I'm not asking you what his legal opinion  
25 was. Then, how about this, do you know whether he

1 addressed that issue?

2 A. No, I don't know.

3 Q. Okay. And then as to the second thing, why  
4 you think there must not be any bias, is because both  
5 Aon [sic] and RMS employed scientists?

6 A. The other reason I think there is no bias is,  
7 yeah, those scientists, they want to develop the best  
8 model and their model are -- those core components are  
9 validated, peer reviewed by external scientists, by the  
10 Commission, by Florida Commission. And so, therefore,  
11 I feel if there is a clear bias, those bias would have  
12 been corrected during those process.

13 Q. So, the AIR standard and the RMS historical  
14 are reviewed by Florida, right?

15 A. Correct.

16 Q. Not the WSST and the medium-term?

17 A. Those are not reviewed. Those are only peer  
18 reviewed by their -- each vendor's probably  
19 internal/external scientists, yeah.

20 Q. Okay. So, rating bureaus -- I believe you  
21 testified that -- do carriers want to obtain the best  
22 rating possible from AM Best? Is that in their  
23 interest, to obtain a good rating from AM Best?

24 A. That is -- they need to obtain rating because  
25 insurance company, when they sell insurance to

1 policyholders, say, for example, you borrow money from  
2 bank and to buy a house and your loan requirement will  
3 require you to have insurance with a rated insurance  
4 company. So, it is a must for insurance company to be  
5 rated by one of the rating agencies recognized by those  
6 large banks.

7 Q. Okay. And do insurance companies prefer that  
8 they get a better rating from AM Best than a poorer  
9 rating?

10 A. Better rating will be desired because better  
11 rating means when insurance company borrow money, they  
12 also have favorable rate. So, it's cheaper for them to  
13 borrow money.

14 Q. And do you know what affect the Bureau -- the  
15 rating bureaus have on whether or not the carriers have  
16 to run hurricane models?

17 A. I don't -- I don't understand your question.

18 Q. Okay. Do -- does AM Best expect that  
19 hurricane -- that carriers, homeowners' carriers, will  
20 run a hurricane model in order to garner a good rating  
21 from AM Best?

22 A. AM Best, BCAR test, that's their capital  
23 adequacy test, has specific input on return period for  
24 hurricane loss, second hurricane loss, and earthquake  
25 loss. All those are modeled output.

1 Q. So AIR Best [sic] advises the insurance  
2 companies that they expect them to run models for  
3 hurricanes, earthquakes, and -- I forget the third  
4 category?

5 A. Yes. Yeah.

6 Q. Okay.

7 A. And I also want to add, AM Best, for their  
8 evaluate hurricane, it's based on the medium-term,  
9 near-term view, warm sea surface temperature view. If  
10 the company doesn't use that view, AM Best would add  
11 additional load to those views. So, AM Best is taking  
12 a conservative approach when evaluate hurricane risk.  
13 So, they are utilizing the medium-term view and the  
14 warm sea surface temperature view for their rate  
15 capital adequacy test.

16 Q. Okay. So, you're characterizing WSST and  
17 medium-term as more conservative than AIR standard and  
18 RMS historical?

19 A. Conservative in this context means, yes, it  
20 is -- conservative means you need to have more capital  
21 to protect these hurricane risk. It's --

22 Q. Does conservative in this context mean --

23 A. Higher. Higher, yes.

24 Q. So, conservative, in this context, means that  
25 they expect them to use models that produce higher



1 losses in order to ensure that they have enough capital  
2 to get a good AM Best rating?

3 A. It means AM Best, they believe, when they do  
4 the capital adequacy test, they should rely on  
5 medium-term view and the warm sea surface temperature  
6 view rather than historical and long-term view.

7 Q. Okay. And in your AIR and RMS results, based  
8 on the medium-term for RMS and the WSST for AIR, in  
9 this filing every one of the numbers was higher than  
10 the numbers for AIR standard and RMS historical, right?

11 A. In this filing, yes, for this specific  
12 version, yes.

13 Q. Okay. So, if you are -- let's just assume a  
14 North Carolina carrier is charging 200 percent of the  
15 manual rate under CTR. So, based on that, if there is  
16 a hurricane, then that carrier is -- let's just say  
17 there was exactly the same loss to a home that was for  
18 a holder -- policyholder carrier paying 200 percent of  
19 the manual rate and the same damage to a policyholder  
20 that's paying the manual rate to that company. Do you  
21 get that -- do you understand that part of my  
22 hypothetical?

23 A. You're hypothetical is -- if I can restate  
24 your question?

25 Q. Yes, ma'am.

1           A.     That modeled loss is based on the building  
2     itself. It's not based on how much policyholder paid  
3     for their insurance, is that your question?

4           Q.     No. I guess my question was Mr. Spivey asked  
5     you whether the reinsurance premium was affected at all  
6     by, as I understood him, consent to rate rates.

7           A.     No, it's not.

8           Q.     Okay. So, I'm asking you a hypothetical  
9     about that.

10          A.     Reinsurance cost is determined by expected  
11     loss.

12          Q.     Okay.

13          A.     Expected hurricane loss. And so, yeah, you  
14     may have two houses that is the same, but one house is  
15     paying double rate -- double premium of the other. But  
16     for our purpose, the rate -- the loss and the cost of  
17     reinsurance would be the same for those two policies.

18          Q.     Okay. But, in fact, and in that scenario --

19          A.     Uh-huh.

20          Q.     -- the actual insurer that is ensuring both  
21     homes, one of them -- they both suffered the same  
22     amount of damage, and it's -- that actual insurer is  
23     only receiving the manual rate on one home, but is  
24     receiving 200 percent of the manual rate in that other  
25     home. In that scenario, is that actual insurer

1 suffering fewer economic -- fewer -- the claims are  
2 lower for the second one who is paying 200 percent of  
3 the manual rate?

4 A. What is your question, the second one what?

5 Q. So, for the second home --

6 A. Uh-huh.

7 Q. -- that has the same damage as the first  
8 home, but that second homeowner is paying 200 percent  
9 of the manual rate --

10 A. Uh-huh.

11 Q. -- does that mean that, ultimately, that  
12 insurance company has fewer losses than -- bottom line  
13 losses than it does as to the first home?

14 A. Yes. In other words, the first company will  
15 have worse loss results than the second company.

16 Q. I was talking about --

17 A. Yes.

18 Q. -- one company. That same company is having  
19 fewer losses for the second house as it is having for  
20 the first?

21 A. Yeah. I think those -- there are variations  
22 for those individual policy and the insurance  
23 ratemaking is never about to get individual policy all  
24 accounting balanced. It's about a class rating for the  
25 composite aggregate exposure.

1 Q. Uh-huh. I understand that but you are -- my  
2 example is just dealing with a single carrier that  
3 insures both homes. And in that scenario I gave you,  
4 that single carrier is, in fact, paying out less for  
5 the same home for the same damage -- or for the home  
6 that is -- suffers the same damage and is paying the  
7 CTR of 200 percent versus the home that suffers the  
8 same damage and is only paying the manual rate?

9 A. Yeah. For -- in that case, the insurer will  
10 pay the same loss to both policies, but also, yes, he  
11 is -- the insurer will collect more premium from the  
12 second policy.

13 Q. Okay.

14 A. Yes.

15 Q. And so that means there is actually less loss  
16 in the end to the carrier for that second policy?

17 A. There is not less loss in dollars, that might  
18 be less loss ratio for the first carrier.

19 Q. Okay.

20 A. Yeah. For the second carrier.

21 Q. For the second home?

22 A. Yeah, yes, yeah.

23 MR. FRIEDMAN: That's all I have for  
24 Ms. Mao on recross.

25 MS. FUNDERBURK: Thank you, Counsel.

1 MR. SPIVEY: May I have just a moment,  
2 Your Honor?

3 MS. FUNDERBURK: Yes.  
4 (Brief pause.)

5 MR. SPIVEY: All right. Your Honor, I  
6 have just a very brief -- few -- couple of questions  
7 for Ms. Mao.

8 MS. FUNDERBURK: A re-redirect.

9 MR. SPIVEY: I guess that's what it  
10 called. I hesitated because I was going to mess it  
11 up. Yes, I guess it's re-redirect.

12 MS. FUNDERBURK: I will allow a few  
13 brief questions.

14 MR. SPIVEY: Thank you, Your Honor.

15 FURTHER REDIRECT EXAMINATION

16 BY MR. SPIVEY:

17 Q. Ms. Mao, Department counsel asked you  
18 questions about the building code, and I think the  
19 hypothetical that I posed this morning, and then I  
20 think maybe -- I'm not sure if he was posing  
21 hypotheticals or not, but let me see -- I got confused.  
22 Let me see if I can get myself clear, if you could help  
23 me with that.

24 If the building code is less stringent  
25 than -- well, let me just try again. If a building

1 code is less stringent than whatever we might be  
2 comparing it to, is it correct that a given hurricane  
3 would result in greater damage to the houses built to  
4 that building code than they would -- than the damages  
5 that would result to homes that were built to a more  
6 stringent building code?

7 A. That's correct. Yeah. Hurricane always  
8 cause more damage on weaker buildings than stronger  
9 buildings, yes.

10 MR. SPIVEY: Thank you, Ms. Mao. That's  
11 all I have.

12 MR. FRIEDMAN: Your Honor, I have one  
13 question about that.

14 MS. FUNDERBURK: Limited to?

15 MR. FRIEDMAN: Just what he asked.

16 MS. FUNDERBURK: Correct.

17 FURTHER RECROSS EXAMINATION

18 BY MR. FRIEDMAN:

19 Q. So, in terms of damages, there would be more  
20 damages to a house if the residential code for -- that  
21 governs that house is less stringent than the ICC?

22 A. So, there will be more damage to the building  
23 if the code is weaker than ICC, right, yeah.

24 Q. But the repair costs that the carrier would  
25 have to pay to repair that home would be less if they

1 don't have to build it up to a more modern, more  
2 expensive code provision, correct?

3 A. That's also correct.

4 MR. FRIEDMAN: Thank you.

5 MR. SPIVEY: One question, Your Honor.

6 MS. FUNDERBURK: We are getting beyond  
7 the typical points of cross and redirect. I am going  
8 to allow it, but caution you, we are past the normal  
9 bounds.

10 MR. SPIVEY: Thank you, Your Honor.

11 FURTHER REDIRECT EXAMINATION

12 BY MR. SPIVEY:

13 Q. Ms. Mao, Mr. Friedman just asked you about  
14 whether damages to -- damages from a hurricane -- let's  
15 assume there are damages and repairs have to be made.  
16 He asked you whether those damages would be less costly  
17 under a code that is weaker, correct?

18 A. Yes, to --

19 Q. That's what he just suggested?

20 A. Yes, that's what he suggest to build to a  
21 weaker code, then just -- yeah, then the cost is based  
22 on that weaker code.

23 Q. So, how many damages would have to be paid by  
24 an insurance company if the house were built to a  
25 stronger code and suffered no damage?

1           A.       That will be much less, yes. And, overall, a  
2 strong building code will save both policyholder and  
3 the insurance company money.

4           Q.       Thank you.

5                   MR. SPIVEY: No further questions.

6                   MR. FRIEDMAN: Your Honor, this is a  
7 whole new thought I haven't had, but if I -- I'm  
8 sorry, if they keep asking --

9                   MS. FUNDERBURK: Ask a question related  
10 to the re-re-redirect. We are going to close this  
11 out. You can ask a follow-up cross question, and we  
12 are going to close out the hearing for the day.

13                  MR. FRIEDMAN: Yes, ma'am.

14                   FURTHER RECROSS EXAMINATION

15 BY MR. FRIEDMAN:

16           Q.       So, in terms of what the company pays out in  
17 claims to repair a home in a jurisdiction where the  
18 residential code is -- doesn't require the home to be  
19 repaired to the modern ICC standard, you understand  
20 that scenario -- that I am posing that as my scenario?

21           A.       I -- that is one of the -- I think that's  
22 outside of my area of expertise, and I don't know how  
23 North Carolina enforce a building code, what is a  
24 building code is in specific region, that I don't know,  
25 yeah.



1 MR. FRIEDMAN: Your Honor, I have to say  
2 that given this whole line of questioning, where I  
3 think she's already testified about a hypothetical,  
4 that where there is a residential code that's less  
5 restrictive than the standard, she says that she has  
6 seen the models say they are using, mainly the ICC,  
7 she's just asked repeatedly to try -- about that  
8 question, and she gave very forthcoming answers,  
9 saying that -- the forthcoming answers she gave to  
10 Mr. Spivey, for her to now say that in my example,  
11 which is literally the other side of the coin from  
12 the example that Mr. Spivey just asked, she can't  
13 speculate, essentially.

14 MS. FUNDERBURK: I'm going to allow to  
15 you restate your question. I am going to direct that  
16 you phrase it in a non-compound sentence.

17 MR. FRIEDMAN: Yes, ma'am.

18 MS. FUNDERBURK: And be very direct with  
19 what your question is.

20 Q. Okay. So, Mr. Spivey asked you to compare a  
21 hypothetical residential code --

22 A. Uh-huh.

23 Q. -- that was less restrictive than another  
24 hypothetical building residential code.

25 A. Yeah.

1 Q. Is that your understanding of what he asked  
2 you?

3 A. He is asking me a stronger building or weaker  
4 building which building costs more for insurance  
5 company. My answer is a weaker building costs more for  
6 insurance company. That's because the weaker building  
7 will suffer loss repeatedly when you run the model, and  
8 they have -- they will have more losses. And even  
9 though it may be a little cheaper to repair, but  
10 overall weaker building costs insurance company more to  
11 insure. So, this is why you see the mitigation credit  
12 in premium. Insurance company justifies those  
13 credit -- mitigation credit because stronger building,  
14 it perform better in hurricanes and save insurance  
15 company money.

16 Q. Okay. So, are insurance companies, in  
17 general, on homes pay out what it costs to repair the  
18 home, what it actually costs; is that fair?

19 A. They pay out -- there are different coverage.  
20 There is a replacement cost or there is an actual value  
21 cost, and it depends on your insurance to value, ITV,  
22 ratio. So, if you don't buy full coverage, then you  
23 don't get the full replacement cost. It's all -- it  
24 depends on your policy condition.

25 Q. And only certain levels of damage will even

1 trigger a replacement cost provision; is that right?

2 A. What do you mean "certain level"?

3 Q. If you have a replacement cost of 300,000 --

4 A. Uh-huh.

5 Q. -- and you have a policy that has a provision

6 like that in it --

7 A. Uh-huh.

8 Q. -- not just a repair cost --

9 A. Uh-huh.

10 Q. -- but you only suffered \$25,000 worth of

11 damage from the hurricane --

12 A. Right.

13 Q. -- that company is not going to pay you

14 \$300,000?

15 A. That's correct, yeah. They will pay up to

16 the policy limit.

17 Q. Okay. So, as regards to the repair costs,

18 whether you're -- they are only -- let's just assume

19 they are only obligated to pay the repair costs, and

20 you have an area with a less stringent building code

21 that doesn't require the repair to bring it all the way

22 up to some more stringent building code, are they -- is

23 the insurance company paying out less if the code is

24 weaker?

25 A. For just the one incident maybe. However,

1 when we calculate hurricane loss costs, it is average  
2 annual loss. It is some product of the frequency and  
3 the -- and the severity of the loss. It's some product  
4 of the loss dollar amount multiplied by the annual  
5 rate. So, we cannot talk about just one scenario.  
6 When we talk about the hurricane results, you have to  
7 see the whole event catalog. So, what I will say is  
8 in -- if a house is weakly built, then there will be  
9 whole more -- a lot more storms that will cause loss  
10 for that specific location.

11 So, that's why, in our hurricane average  
12 annual loss calculation, the weaker building will have  
13 higher average annual hurricane loss.

14 Q. Based on your hypothetical modeled hurricanes  
15 and their hypothetical modeled loss?

16 A. It's -- yeah, but it is more comprehensive  
17 than your hypothetical one event.

18 MR. FRIEDMAN: I have no more questions  
19 for Ms. Mao.

20 MS. FUNDERBURK: Thank you, Counsel.

21 MR. SPIVEY: No questions. You knew  
22 that, didn't you?

23 MS. FUNDERBURK: I did, in fact, know  
24 that, Mr. Spivey. Thank you.

25 Thank you for all maintaining your sense

1 of humor after quite a few days in hearing. Now,  
2 Counsel, I don't have the prehearing order in front  
3 of me, but I believe it we are scheduled to come back  
4 on October 23rd at 9:00 a.m.; is that correct?

5 MR. BEVERLY: Yes, Your Honor.

6 MS. FUNDERBURK: Okay. And I believe we  
7 will be beginning with Mr. Ericksen's testimony; is  
8 that correct?

9 MR. SPIVEY: I believe that is correct,  
10 Your Honor.

11 MS. FUNDERBURK: Okay. Are there any  
12 matters that need to be addressed before we recess  
13 until the 23rd?

14 MR. SPIVEY: Not that I know of.

15 MR. FRIEDMAN: Nor me, Your Honor.

16 MS. FUNDERBURK: Okay. I will thank the  
17 witnesses for their time, especially, Ms. Mao. You  
18 have spent quite a bit of time on the stand. Thank  
19 you for your patience and participation.

20 We are in recess until 9:00 a.m. on  
21 October 23rd. Thank you.

22 THE WITNESS: Thank you.

23 (Hearing adjourned at 1:27 p.m. with  
24 resumption scheduled at 9:00 a.m. on October 23,  
25 2024.)

